

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

IN THE SUPREME COURT OF THE UNITED STATES

- - - - - x
 RICHARD E. GLOSSIP, ET AL., :
 Petitioners : No. 14-7955
 v. :
 KEVIN J. GROSS, ET AL. :
 - - - - - x

Washington, D.C.
 Wednesday, April 29, 2015

The above-entitled matter came on for oral
 argument before the Supreme Court of the United States
 at 10:15 a.m.

APPEARANCES:

ROBIN C. KONRAD, ESQ., Phoenix, Ariz.; on behalf of
 Petitioners.

PATRICK R. WYRICK, ESQ., Solicitor General, Oklahoma
 City, Okla.; on behalf of Respondent.

1	C O N T E N T S	
2	ORAL ARGUMENT OF	PAGE
3	ROBIN C. KONRAD, ESQ.	
4	On behalf of the Petitioners	3
5	ORAL ARGUMENT OF	
6	PATRICK R. WYRICK, ESQ.	
7	On behalf of the Respondents	25
8	REBUTTAL ARGUMENT OF	
9	ROBIN C. KONRAD, ESQ.	
10	On behalf of the Petitioners	55
11		
12		
13		
14		
15		
16		
17		
18		
19		
20		
21		
22		
23		
24		
25		

1 P R O C E E D I N G S

2 (10:15 a.m.)

3 CHIEF JUSTICE ROBERTS: We'll hear argument
4 first this morning in Case 14-7955, Glossip v. Gross.

5 Ms. Konrad.

6 ORAL ARGUMENT OF ROBIN C. KONRAD

7 ON BEHALF OF THE PETITIONERS

8 MS. KONRAD: Mr. Chief Justice, and may it
9 please the Court:

10 Oklahoma chooses to execute our clients with
11 a three-drug formula that includes a paralytic and
12 potassium chloride, drugs that cause intense pain and
13 suffering. The second and third drugs are
14 constitutional only if a prisoner will not feel the pain
15 and be aware of the suffocation caused by those drugs.

16 The district court erred as a matter of law
17 and as a matter of fact when it found that midazolam as
18 the first drug is constitutionally tolerable.

19 JUSTICE SCALIA: Why is that a matter of
20 law? I mean, as I see it, it's just -- just a fact
21 question, and -- and the district court found that it --
22 it did eliminate the pain. And you're asking us to find
23 that the district court was clearly erroneous in that
24 determination? Do we usually do that kind of thing?

25 MS. KONRAD: Justice Scalia, the -- there's

1 a question of law and there's a question of fact.

2 JUSTICE SCALIA: What's the question of law?

3 MS. KONRAD: The question of law includes
4 the -- the fact that the district court found that this
5 three-drug formula was constitutionally tolerable in
6 spite of two facts, the first one being that there is a
7 medical consensus that this drug cannot be used as the
8 sole drug --

9 JUSTICE SCALIA: It's a question of fact.
10 That's a question of fact.

11 MS. KONRAD: That --

12 JUSTICE SCALIA: You're saying the question
13 of law is that the -- the district court ignored two
14 facts. Ignoring two facts does not make it a question
15 of law; it's still a question of fact.

16 MS. KONRAD: The -- if -- if I can, Justice
17 Scalia, the second point is the question of law also
18 involves that the district court found that this drug
19 creates a greater risk of harm than sodium thiopental,
20 but that it could not quantify. So it found that this
21 drug that creates a greater risk of harm that it could
22 not quantify and it also had before it evidence that
23 this drug is not used for the purpose that -- which the
24 State intends it to be used.

25 JUSTICE SOTOMAYOR: Could you -- the way

1 I've thought of this -- and I know that in your brief
2 you think de novo review goes to everything. If I
3 disagree with you, if I think that I have to give
4 deference to the district court's factual finding on how
5 this drug works, the -- how do you call it -- the --

6 MS. KONRAD: The midazolam.

7 JUSTICE SOTOMAYOR: Midazolam, but that it's
8 a legal question of whether how that drug works creates
9 a risk of harm that's constitutionally intolerable. Is
10 that how you divide up the legal end?

11 MS. KONRAD: Yes, Justice Sotomayor, and --

12 JUSTICE SOTOMAYOR: So the facts are now.
13 Now let's go to my real question, okay? That a judge
14 ignores evidence is not necessarily an abuse of
15 discretion or a clear error. But -- so what are the
16 clear errors in terms of the reasoning that the district
17 court used?

18 MS. KONRAD: So the clear errors in this
19 case, we have to look at what this case is about. And
20 this case is about known information and undisputed
21 facts that were before the court. This drug, midazolam,
22 is in a different class than barbiturates, this drug is
23 not known, it's not a pain reliever. The district court
24 recognized these two facts at 76 of the Joint Appendix.

25 It's known that this drug has a ceiling

1 effect, so there's a certain point at which giving more
2 of the drug is not going to matter. The district court
3 recognized that at 78. The State's expert recognized
4 that. The Petitioners' experts recognized that.

5 CHIEF JUSTICE ROBERTS: Well, but what the
6 district court determined is that it was -- was not able
7 to tell precisely when the ceiling effect kicked in,
8 precisely when they hit the ceiling, right?

9 MS. KONRAD: That is --

10 CHIEF JUSTICE ROBERTS: And that -- that is
11 your theory for when pain is possible, when it hits the
12 ceiling, right?

13 MS. KONRAD: What the district court found,
14 Mr. Chief Justice, is whatever the ceiling effect may
15 be, it takes effect only at the spinal cord and that 500
16 milligrams of midazolam will, quote, "create a
17 phenomenon which is not anesthesia," but effectively
18 paralyzes the brain and eliminates awareness of pain.

19 Now, that finding, we have to -- we have to
20 look at what undisputed facts were before the court in
21 making that finding to --

22 CHIEF JUSTICE ROBERTS: Well, is it
23 undisputed facts? I thought you had the burden of
24 showing that the determinations were clearly erroneous.
25 So it's certainly not a case where the facts have to be

1 undisputed.

2 MS. KONRAD: And I'm sorry if I misspoke,
3 Mr. Chief Justice. What we have to look at before in
4 order to show why this was a clearly erroneous finding
5 is what the undisputed facts were before the district
6 court in order for it to reach that conclusion.

7 JUSTICE SOTOMAYOR: Do you even have to go
8 that far? The State here doesn't even propose that
9 their doctor was right on this point.

10 MS. KONRAD: Well, that --

11 JUSTICE SOTOMAYOR: They're not defending
12 it, they don't say it's true. They -- I -- conceded, as
13 I read their brief, that it does not work the way the
14 doctor said it worked, that it does not paralyze the
15 brain, correct?

16 MS. KONRAD: That is correct, Justice
17 Sotomayor.

18 JUSTICE SOTOMAYOR: So it's clear error.

19 Now we've got an admission that the expert
20 was plainly wrong. So how -- what else, I guess --
21 there was nothing else that the district court could
22 have based its conclusion on, correct?

23 MS. KONRAD: That is correct. And -- and
24 the -- the district court reached this decision based on
25 no scientific evidence and with a medical consensus to

1 the contrary that this drug is not able to
2 pharmacologically do what the States' expert said that
3 it could in fact do. And that clear error is
4 combined -- and as the district court said at Joint
5 Appendix 47, that this is partially a mixed question of
6 fact and mixed question of law.

7 JUSTICE KAGAN: Ms. Konrad, can I make sure
8 I understand this because, you know, I read that -- the
9 part of the opinion that you're referring to and I just
10 really couldn't figure it out.

11 So is it that the court said, well, we don't
12 know what the ceiling effect is generally, but the
13 ceiling effect only goes to how something operates at
14 the spinal cord level, it doesn't go to how it operates
15 at the brain and this -- and -- this -- this takes --
16 what we -- what we care about is how it operates at the
17 brain, so we don't even have to worry about ceiling
18 effect; is that right?

19 MS. KONRAD: That's --

20 JUSTICE KAGAN: Is that --

21 MS. KONRAD: That is --

22 JUSTICE KAGAN: Is that what the court said?

23 MS. KONRAD: Justice Kagan, that is what the
24 district court found based on the testimony of the
25 State's experts that's not supported by any scientific

1 literature, any -- any medical information and, in fact,
2 is inconsistent with the State's expert's own testimony.
3 Because he testified and explained that the way this
4 drug works is it works throughout the central nervous
5 system. He said --

6 JUSTICE KAGAN: So you're saying we do have
7 to worry about the ceiling effect. There isn't this
8 dichotomy between the drug at the spinal cord and the
9 drug at the brain, and the -- it's actually crucial what
10 kind of ceiling effect this drug has in -- in
11 contradiction to what the court said, which was we
12 didn't have to worry about ceiling effect. Is that --

13 MS. KONRAD: That --

14 JUSTICE KAGAN: Is that how it goes?

15 MS. KONRAD: Yes, Justice Kagan. And
16 this --

17 JUSTICE ALITO: Did you introduce any
18 evidence to show the dosage at which the ceiling effect
19 would occur?

20 MS. KONRAD: We had testimony from our
21 expert who -- who indicated that it could be calculated,
22 but it was not calculated. But, Justice Alito, that
23 doesn't matter because what matters is that we know that
24 the drug has a ceiling effect, and that is what matters.

25 JUSTICE ALITO: Well, what if the ceiling

1 effect is 1,000 milligrams?

2 MS. KONRAD: There is no evidence in the
3 record to support that. And in fact --

4 JUSTICE ALITO: No. I'm just saying is
5 there any evidence to show that it is any amount below
6 500?

7 MS. KONRAD: It doesn't matter. It
8 doesn't --

9 JUSTICE ALITO: Of course it matters.

10 JUSTICE SOTOMAYOR: Well, the one proof we
11 do have is the Wood execution, not the one that was
12 botched, but Mr. Wood was given 750 milligrams, correct?

13 MS. KONRAD: Yes, Justice Sotomayor.

14 JUSTICE SOTOMAYOR: And he laid writhing in
15 pain for 20 minutes? 25 minutes? I don't remember how
16 long.

17 MS. KONRAD: Mr. Wood was 2 hours.

18 JUSTICE SOTOMAYOR: I'm sorry, 2 hours.
19 Now, there's been some defense that the 750 wasn't
20 immediately delivered, but it was still 750 that went
21 into his system and caused that kind of pain, correct?

22 MS. KONRAD: Yes. And our expert testified
23 that Mr. Wood's execution demonstrates the ceiling
24 effect; that giving more of this drug is not going to
25 put a prisoner into a deep coma-like --

1 JUSTICE ALITO: Well, how many executions
2 have been carried out using this drug?

3 MS. KONRAD: Using midazolam?

4 JUSTICE ALITO: Yes.

5 MS. KONRAD: 15.

6 JUSTICE ALITO: Okay. And you're talking
7 about one.

8 MS. KONRAD: No, we're actually talking of
9 several executions that -- the execution in this case,
10 in Oklahoma, that happened a year ago of Mr. Lockett
11 demonstrates why midazolam is not a proper drug that can
12 do what the State intends it to do and put a prisoner in
13 a deep coma-like unconscious.

14 CHIEF JUSTICE ROBERTS: I thought there were
15 issues of the administration of the drug, you know,
16 the -- the nature of the veins and so forth. Weren't
17 those present or have I got a different one in mind than
18 the Lockett case?

19 MS. KONRAD: No, Mr. Chief Justice.

20 CHIEF JUSTICE ROBERTS: No? I'm sorry.
21 "No" what? That was not that or -- were -- were there
22 issues about -- I thought there were issues involving
23 the veins and the ability to make an intravenous
24 connection?

25 MS. KONRAD: There were problems with the

1 catheter, but -- but Mr. Lockett received enough
2 midazolam such that he was unconscious and the doctor --
3 the physician executioner found that he was unconscious
4 and then he regained consciousness. And that is the key
5 issue here before this Court, that --

6 JUSTICE SCALIA: Not if he didn't -- not if
7 he didn't receive the proper dosage. So you're saying
8 it's okay that he didn't receive the proper dosage so
9 long as he was unconscious.

10 MS. KONRAD: He --

11 JUSTICE SCALIA: I don't -- I don't see how
12 that follows. I mean, if in fact the execution was not
13 properly conducted, I don't see how you can blame it on
14 the -- on the drug.

15 MS. KONRAD: What we know about this drug,
16 Justice Scalia, is that it can never maintain the deep
17 coma-like unconsciousness that is necessary to prevent a
18 prisoner from feeling the painful effects of the -- I'm
19 sorry, of the potassium chloride.

20 JUSTICE KAGAN: How do we know that? I
21 thought that what we knew was something different. I
22 thought that what we knew was just what we can't know;
23 in other words, that there's this huge range of
24 uncertainty about what happens when somebody is -- is
25 given this drug.

1 You're suggesting something more than that,
2 which is that we know what happens, we know that the
3 drug can't maintain deep -- deep unconsciousness.
4 Which -- which is right?

5 MS. KONRAD: Justice Kagan, we know because
6 of the pharmacological properties of this drug, the way
7 that -- that when the drug was being tested and being
8 introduced, it is not used for the sole purpose of
9 preventing somebody from feeling pain during a painful
10 procedure.

11 JUSTICE KAGAN: Well, I thought it wasn't
12 used for that purpose just because we don't know whether
13 it's capable of being used for that purpose, as opposed
14 to we know it's incapable of being used for that
15 purpose, if you see the difference.

16 MS. KONRAD: I do see the difference, but I
17 think what's important here is this Court in Baze
18 explained that it's important to reemphasize that a
19 proper dose of sodium thiopental obviates the concern
20 that the prisoner will not be sufficiently sedated.
21 That was the key aspect of Baze. And in --

22 JUSTICE ALITO: And why is Oklahoma not
23 using sodium thiopental? Why is it not using that drug?

24 MS. KONRAD: It isn't using it -- you'll --
25 you could ask my friend here, but --

1 JUSTICE ALITO: You don't know?

2 MS. KONRAD: The -- the finding here is that
3 it was unavailable at that time of the hearing.

4 JUSTICE ALITO: Yes. I mean, let's be
5 honest about what's going on here. Executions could be
6 carried out painlessly. There are many jurisdictions --
7 there are jurisdictions in this country, there are
8 jurisdictions abroad that allow assisted suicide, and I
9 assume that those are carried out with little, if any,
10 pain. Oklahoma and other States could carry out
11 executions painlessly.

12 Now, this Court has held that the death
13 penalty is constitutional. It's controversial as a
14 constitutional matter. It certainly is controversial as
15 a policy matter. Those who oppose the death penalty are
16 free to try to persuade legislatures to abolish the
17 death penalty. Some of those efforts have been
18 successful. They're free to ask this Court to overrule
19 the death penalty.

20 But until that occurs, is it appropriate for
21 the judiciary to countenance what amounts to a guerilla
22 war against the death penalty which consists of efforts
23 to make it impossible for the States to obtain drugs
24 that could be used to carry out capital punishment with
25 little, if any, pain? And so the States are reduced to

1 using drugs like this one which give rise to disputes
2 about whether, in fact, every possibility of pain is
3 eliminated.

4 Now, what is your response to that?

5 MS. KONRAD: Well, Justice Alito, the
6 purpose of the courts is to decide whether a method of
7 execution or the way that the State is going to carry
8 out an execution is, in fact, constitutional, and it --
9 whether we're going to tolerate -- is it objectively
10 intolerable to allow the States to carry out a method in
11 this way. And so --

12 JUSTICE SCALIA: And I guess -- I guess I
13 would be more inclined to find that it was intolerable
14 if there was even some doubt about this drug when there
15 was a perfectly safe other drug available. But the
16 States have gone through two different drugs, and those
17 drugs have been rendered unavailable by the abolitionist
18 movement putting pressure on the companies that
19 manufacture them so that the States cannot obtain those
20 two other drugs.

21 And now you want to come before the Court
22 and say, well, this third drug is not 100 percent sure.
23 The reason it isn't 100 percent sure is because the
24 abolitionists have rendered it impossible to get the
25 100 percent sure drugs, and you think we should not view

1 that as -- as relevant to the decision that -- that
2 you're putting before us?

3 MS. KONRAD: Justice Scalia, I don't think
4 that it's relevant to the decision as to what's
5 available because what this Court needs to look at is
6 whether the drug that the State is intending to use to
7 cause what they say is a -- put the prisoner in a -- in
8 a place where he will not feel pain, that that drug is
9 good enough. This drug is anything --

10 JUSTICE SOTOMAYOR: Counselor, I --

11 JUSTICE GINSBURG: Is any State -- is any
12 State using a lethal injection protocol without this
13 questionable drug? We know that two are not available.
14 Is there another combination that has been used by
15 States that doesn't involve this questionable drug?

16 MS. KONRAD: Yes, Justice Ginsburg. And, in
17 fact, there have been 11 execution using pentobarbital
18 just this year by other States.

19 JUSTICE SCALIA: But is that --

20 JUSTICE KENNEDY: That doesn't answer
21 Justice Scalia's and Justice Alito's question. The
22 question is: What bearing, if any, should we put on the
23 fact that there is a method, but that it's not available
24 because of -- because of opposition to the death
25 penalty? What relevance does that have? None?

1 MS. KONRAD: Justice Kennedy, the fact that
2 the State chooses a certain method should not -- should
3 not have bearing on whether that method is
4 constitutional.

5 JUSTICE SOTOMAYOR: Counsel, if there is
6 no --

7 JUSTICE KENNEDY: I -- I would like an
8 answer to the question. You've been interrupted several
9 times, and you still haven't given -- is it relevant or
10 not?

11 MS. KONRAD: No. It's not relevant. The
12 availability of another --

13 JUSTICE SOTOMAYOR: There are other ways to
14 kill people regrettably.

15 MS. KONRAD: There are, Justice Sotomayor.

16 JUSTICE SOTOMAYOR: That are painless. It
17 doesn't have to be a drug protocol that we elect that
18 has a substantial risk of burning a person alive who's
19 paralyzed, correct?

20 MS. KONRAD: That is correct, Justice
21 Sotomayor.

22 JUSTICE SOTOMAYOR: I know that you'll get
23 up and argue that those other ways are -- are not
24 constitutional either potentially, but people do that
25 with every protocol. But the little bit of research

1 I've done has shown that the reason people don't use the
2 other methods it's because it offends them to look at
3 them. Like you could use gas, that renders people not
4 even knowing that they're going to sleep to die. And
5 people probably don't want to use that protocol because
6 of what happened during World War II. But there are
7 alternatives. Oklahoma has found some. It's -- it can
8 use the -- a firing squad now.

9 So I don't know what the absence of a drug,
10 what pertinence it has when alternatives exist.

11 MS. KONRAD: I would agree, Justice
12 Sotomayor, that --

13 JUSTICE GINSBURG: Doesn't -- doesn't a
14 firing squad cause pain?

15 MS. KONRAD: Justice Ginsburg, we don't
16 know -- we don't know how, if the State chose to carry
17 out an execution by firing squad, whether, in fact, it
18 would cause -- rise to the level of unconstitutional
19 pain and suffering under the Eighth Amendment.

20 CHIEF JUSTICE ROBERTS: Well, you don't
21 know. Do you have a guess? I mean, is there a reason
22 that the States moved progressively to what I understand
23 to be more humane methods of execution? Hanging, firing
24 squad, electric chair, death -- you know, gas chamber?

25 MS. KONRAD: Yes.

1 CHIEF JUSTICE ROBERTS: And -- and you're
2 not suggesting that those other methods are preferable
3 to the method in this case, are you?

4 MS. KONRAD: I'm not suggesting that, Mr.
5 Chief Justice, but the reason why States moved to more
6 humane methods is, as we learn more, and as we learn
7 more about science, and develop, then, as a society, we
8 move forward. We have evolving standards of decency.

9 CHIEF JUSTICE ROBERTS: But you have no
10 suggestion as what -- to what would be an acceptable
11 alternative to what you propose right now for Oklahoma.
12 Do you have any -- I mean, the case comes to us in a
13 posture where it's recognized that your client is guilty
14 of a capital offense, it's recognized that your client
15 is eligible for the death penalty, that that has been
16 duly imposed. And yet you put us in a position with
17 your argument that he can't be executed, even though he
18 satisfies all of those requirements.

19 MS. KONRAD: I would --

20 CHIEF JUSTICE ROBERTS: And you have no
21 suggested alternative that is more humane.

22 MS. KONRAD: I would actually disagree with
23 the characterization that it's -- that he can't be
24 executed. Oklahoma has just passed a new statute, and
25 they are continuously looking for methods and ways to --

1 CHIEF JUSTICE ROBERTS: What does the new
2 statute provide?

3 MS. KONRAD: The new statute provides that
4 if the lethal injection protocol is found
5 unconstitutional, or drugs are unavailable, then they
6 can go to other methods.

7 CHIEF JUSTICE ROBERTS: What other method?

8 MS. KONRAD: They go to nitrogen gas, and
9 then go to --

10 CHIEF JUSTICE ROBERTS: And are you
11 suggesting that that's okay with you?

12 MS. KONRAD: I'm not -- I don't know
13 anything about that protocol. They have not --

14 CHIEF JUSTICE ROBERTS: Well, what do you
15 think? Do you have an instinct about whether or not the
16 gas chamber is preferable to this lethal injection or
17 not?

18 MS. KONRAD: Mr. Chief Justice, it's hard
19 for me in the abstract to say whether it's preferable.
20 The -- the legislature has said that this could be a
21 painless method. I don't know -- they haven't come out
22 with any information about how it will be carried out.

23 JUSTICE BREYER: Suppose it were true --

24 JUSTICE SCALIA: If I understand the facts
25 here, your client was already in jail with a life

1 sentence, right, for murder? And while in jail on that
2 life sentence, he stabbed and killed a prison guard, and
3 that's the crime for which Oklahoma is seeking to
4 execute him. That's the facts we have before us, isn't
5 it?

6 MS. KONRAD: One of the Petitioners here
7 before the Court, but --

8 JUSTICE BREYER: Perhaps there is that
9 larger question, that if, in fact, for whatever set of
10 reasons, it's not you, you didn't purposely hide these
11 other kinds of drugs, if there is no method of executing
12 a person that does not cause unacceptable pain, that, in
13 addition to other things, might show that the death
14 penalty is not consistent with the Eighth Amendment. Is
15 that so or not, in your opinion?

16 MS. KONRAD: That -- that perhaps could be
17 true, Justice Breyer, but the narrow issue --

18 JUSTICE ALITO: And is that -- is that your
19 argument?

20 MS. KONRAD: No.

21 JUSTICE ALITO: You're marking -- you can
22 make one of two arguments. And one is that the death
23 penalty is unconstitutional because there is no method
24 that has been used in the past or that can be devised
25 that is capable of carrying that sentence out without

1 inflicting some pain, pain that's unacceptable. That's
2 an argument that you can make. But I don't understand
3 you to be making that argument; am I right?

4 MS. KONRAD: You are correct, Justice Alito.

5 JUSTICE ALITO: So you are arguing -- you
6 want us to reverse a finding of fact of the district
7 court on the ground that it is clearly erroneous. When
8 was the last time we did that?

9 MS. KONRAD: The Court in Comcast in -- we
10 cited that opinion, it was a few years ago, and
11 explained that where there are clearly -- clearly
12 erroneous findings. In this case, this is obviously an
13 exceptionally erroneous. Looking at the -- the findings
14 based on no scientific evidence, no studies, and all of
15 the evidence shows that this drug does not work in the
16 way that the State intended it to work.

17 JUSTICE ALITO: But 500 milligrams is a
18 lethal dose, isn't it?

19 MS. KONRAD: That --

20 JUSTICE ALITO: Itself it's capable of
21 causing death; is that right?

22 MS. KONRAD: That, I don't know, Justice
23 Alito, that -- if the -- the expert who testified for
24 the State talked about a potential toxic dose, but
25 there's no information of -- of, yes, this dose will

1 cause death. We don't know that, and that's not --

2 JUSTICE ALITO: Well --

3 JUSTICE KAGAN: Does the --

4 JUSTICE ALITO: -- isn't a therapeutic
5 dose -- is there -- is it ever administered in that
6 quantity for any therapeutic reason?

7 MS. KONRAD: No, but --

8 JUSTICE KAGAN: Does the fact that something
9 is a lethal dose necessarily mean that it's not
10 incredibly painful?

11 MS. KONRAD: No, Justice Kagan, and that's
12 --

13 JUSTICE KAGAN: It could be a lethal dose
14 and be incredibly painful.

15 JUSTICE ALITO: No, that -- but that's not
16 the point. The point is, if it's a lethal dose, or it's
17 potentially a lethal dose, then how are you going to do
18 a study to determine whether, in fact, it renders the
19 person insensate?

20 MS. KONRAD: Justice Alito, you don't need
21 to do a study in this case because we already know from
22 science and the pharmacology of the drug, how the drug
23 works. And so that's what the district court got wrong,
24 and there's clear error here.

25 JUSTICE BREYER: Is it -- now let's get to

1 that -- I'd like to get --

2 JUSTICE KAGAN: Well, maybe to the extent
3 that you can't --

4 CHIEF JUSTICE ROBERTS: Justice Kagan, I
5 think it's your turn.

6 JUSTICE KAGAN: Please, go ahead.

7 JUSTICE BREYER: I'd just like -- since
8 we're on the narrow question. The narrow question that
9 you want to present, I would like to hear the argument.
10 As far as I know, we held in Baze in this context that
11 if a person is not rendered unconscious where the other
12 two drugs come in, there is a constitutionally
13 unacceptable risk of suffocation and pain. That's the
14 holding.

15 And in this case, the court of appeals says
16 that the district court found that this drug that you're
17 talking about, midazolam, will result in central nervous
18 depression, rendering the person unconscious and
19 insensate during the rest of the procedure, a sufficient
20 level of unconsciousness to resist the major stimuli of
21 the later two drugs. That's his finding.

22 You had an expert testify that that is not
23 the case. That expert said that -- I'm citing an
24 article. He said that it would not reliably put the
25 person in a coma. Isn't that what he said?

1 MS. KONRAD: That is correct, Justice
2 Breyer.

3 JUSTICE BREYER: All right. Then the other
4 side produced the expert which just said the contrary.
5 All right. So you have to say that that conclusion,
6 namely, quote, the 500 milligrams will be at a -- will
7 make it a virtual certainty that he will be at a
8 sufficient level of unconsciousness to resist the
9 stimuli of the other two drugs. So I'm sorry, you
10 don't -- I've run out of your time. Maybe I'll ask the
11 other side the same question. I want to know what
12 underlies that sufficient to make you say, clearly
13 wrong. But the other side is just as good to ask that
14 question. And I want you to reserve your time.

15 MS. KONRAD: Okay.

16 JUSTICE BREYER: Okay.

17 CHIEF JUSTICE ROBERTS: Mr. Wyrick.

18 MR. WYRICK: That's better.

19 JUSTICE SCALIA: You could ask me, maybe.

20 CHIEF JUSTICE ROBERTS: Mr. Wyrick.

21 ORAL ARGUMENT OF PATRICK R. WYRICK

22 ON BEHALF OF THE RESPONDENTS

23 MR. WYRICK: Mr. Chief Justice, and may it
24 please the Court:

25 The district court found, as a matter of

1 fact, that a 500-milligram dose of midazolam would, with
2 near certainty, render these Petitioners unconscious and
3 unable to feel pain. Now, regardless of our other
4 disagreements about proper legal standards, all parties
5 agree that Petitioners bear the threshold burden of
6 establishing that there is a substantial or objectively
7 intolerable risk that they will feel the pain from the
8 second and third drugs.

9 Unless that finding of fact, a finding of
10 fact affirmed by the court of appeals, mirrored by three
11 other trial courts in Florida, affirmed by three other
12 appeals courts in Florida, is set aside, they cannot
13 satisfy that threshold burden. Now --

14 JUSTICE KAGAN: Mr. Wyrick, as -- as I
15 understand it, there were three subsidiary findings that
16 underlay this conclusion.

17 The first is the one that we talked a little
18 bit about with Ms. Konrad, which has to do with the
19 ceiling effect, which, as I understand it you, don't at
20 all defend.

21 The second is the idea that 500 milligrams
22 of this drug would likely kill a patient in 30 minutes
23 or an hour, which seems to me irrelevant given that a
24 lethal dose is completely consistent with unbearable
25 pain.

1 And the third is that that dose of midazolam
2 would keep a patient unconscious while a needle is
3 inserted into his thigh, which also seems irrelevant
4 given the -- what everybody understands to be the much,
5 much, much greater potential for pain of potassium
6 chloride.

7 So those were the three subsidiary findings.
8 One of them nobody thinks is anything other than
9 gobbledygook, and the other two are irrelevant. Is that
10 not the case?

11 MR. WYRICK: Well, I'm going to take those
12 in reverse order. I -- I think the third actually is
13 relevant. These Petitioners, in their amended
14 complaint, at paragraph 139, described the setting of a
15 femoral IV as an invasive surgical procedure involving
16 not just pain, great pain. That's how they described
17 it.

18 JUSTICE KAGAN: Well, it does not sound
19 pleasant to have a needle put in your thigh. But when
20 you read these descriptions of what midazolam does, that
21 it gives the feeling of being burned alive, it sounds
22 really considerably more than having a needle put in
23 your thigh.

24 MR. WYRICK: And -- and this is what I want
25 to clarify as to your first point. Midazolam itself,

1 there is no evidence and no one -- no one argues that it
2 causes any pain upon -- upon injection. It is a
3 sedative hypnotic. It is the second and third drug --

4 JUSTICE KAGAN: No, no, no. I'm sorry.
5 Potassium chloride.

6 MR. WYRICK: -- it's talking about. So, you
7 know, earlier some of the questions you said about
8 whether this is lethal or not is irrelevant because it
9 would involve great pain, no, a lethal dose of
10 midazolam would not cause pain. It -- it -- just not --

11 JUSTICE KAGAN: No, no, no.

12 MR. WYRICK: It's a central --

13 JUSTICE KAGAN: There's --

14 MR. WYRICK: -- nervous system --

15 JUSTICE KAGAN: No, no, no. That's not --
16 that's not the point. It's a lethal dose of
17 potassium -- of midazolam, it will take 30 minutes to
18 die. In the meantime, the potassium chloride can be
19 wreaking extraordinary pain on the individual. So in
20 that sense, the fact that this is a lethal dose of
21 midazolam has nothing to do with the question that is
22 before us, whether, before that 30 minutes or hour
23 passes, the potassium chloride is wreaking unbearable
24 pain on the individual.

25 MR. WYRICK: The question before the Court

1 is whether the district court's factual finding that
2 they would be unconscious and insensate is clearly
3 erroneous.

4 And on that point, let's look at the record
5 case that these Petitioners put on before the district
6 court. They said that there were three reasons why
7 midazolam was inappropriate.

8 They said paradoxical reactions. Those have
9 disappeared from the case. You won't even see those in
10 the reply brief. We pointed out that they're
11 extraordinarily rare, and to the extent that they
12 happen, trained medical -- our trained medical staff
13 would catch those and never call the person unconscious.

14 Secondly, they said lack of -- lack of
15 analgesia. We pointed out sodium thiopental and
16 pentobarbital, those weren't analgesics either. That's
17 never been relevant to the question because the question
18 is, does the drug render them unconscious and insensate.

19 JUSTICE GINSBURG: Would any doctor -- would
20 any doctor --

21 JUSTICE KAGAN: They are pain relief
22 medications.

23 JUSTICE SCALIA: What -- what's the third
24 point you had? I -- I was anxious to hear your third
25 point.

1 JUSTICE KENNEDY: As was I.

2 MR. WYRICK: In response to Justice Kagan's
3 question?

4 CHIEF JUSTICE ROBERTS: Yes.

5 MR. WYRICK: Yes. Your -- I forget now your
6 second point, your -- the second factual finding or
7 second underpinning --

8 JUSTICE KAGAN: You know --

9 MR. WYRICK: -- which was a factual
10 finding --

11 JUSTICE KAGAN: There is --

12 MR. WYRICK: -- but --

13 JUSTICE KAGAN: There is the fact that this
14 is a lethal dose, again, completely consistent with the
15 possibility of potassium chloride causing great pain.
16 There is the fact that it rendered -- it keeps a patient
17 unconscious with a needle, completely consistent with it
18 not keeping a patient unconscious with potassium
19 chloride running through his body, and, again, this --
20 this statement that nobody can figure out about the
21 ceiling fact.

22 MR. WYRICK: Right. And it's the ceiling
23 effect that I want to focus on, because the -- what the
24 district court said is whatever the ceiling effect may
25 be, what we're concerned about is whether this can keep

1 someone unconscious and unaware of pain. And what he
2 talked about that's the phenomenon that's not
3 anesthesia, what he was referring to is their expert,
4 Dr. Lubarsky, he said in the medical sense, to have true
5 anesthesia, you have to have unconsciousness, inability
6 to feel pain and immobility.

7 Our district court was saying, well, what we
8 care about with midazolam is it -- will it render them
9 unconscious and unable to feel pain. Under their
10 expert's definition, they may not -- that may not be
11 anesthesia in the medical sense, but it's the
12 constitutionally relevant question.

13 JUSTICE GINSBURG: What do we do with this
14 brief of the pharmacology professors that state, flat
15 out, midazolam cannot induce coma-like unconsciousness?

16 MR. WYRICK: They actually go further and
17 say, you know, in several respects that it can induce
18 unconsciousness, and that's something that no one agrees
19 with. Even the FDA label indicates that induction of
20 anesthesia is a commonly accepted use.

21 JUSTICE BREYER: Can I -- can I ask --

22 JUSTICE SOTOMAYOR: What -- what's the --

23 JUSTICE BREYER: -- the same question, which
24 is I -- I've had this one question, and that is, as I
25 read this record -- you remember what I said was the

1 standard from Baze. You remember what I said was the
2 district court's finding. You remember that I believe
3 that what this is about is whether that finding is
4 clearly erroneous. And what I have are two sentences.

5 The first sentence is from their expert.

6 And he, quote, when you could be unconscious, he means
7 that this drug, midazolam, is an antianxiety drug, like
8 Xanax. People use it to go to sleep every night, and it
9 can render you unconscious and not reacting to minor
10 stimuli. That's their expert.

11 But when major stimuli such as the
12 introduction of the next two drugs that we're talking
13 about here come into play, you are jolted into
14 consciousness, and you are quite aware, and you wake up.

15 Now, if we stop there, you'd lose, right?

16 MR. WYRICK: If any of that were --

17 JUSTICE BREYER: If we stop there.

18 MR. WYRICK: If any of that were supported
19 by the medical literature.

20 JUSTICE BREYER: But he pointed to -- he
21 pointed to two articles. He based that statement -- but
22 I'll look at the two articles. It seemed to me he was
23 basing the statement on medical articles, but, okay, we
24 have to look at the support for that.

25 MR. WYRICK: Yes. And, Justice --

1 JUSTICE BREYER: Now let's look at the other
2 side, because your side then says -- he says right here
3 that -- he says it will put you into a coma. That's his
4 point. But his reasoning was that if you take enough of
5 it, you'll be dead. And then he says this is
6 essentially an extrapolation from a toxic effect, by
7 which he means if you take a lot, you'll be dead, but
8 before you're dead, you're in a coma. And that's his
9 reasoning. And I didn't find any other reasoning.

10 Now, the obvious thing -- are two. One, a
11 lot of things kill you without putting you into a coma,
12 such as the next two drugs. Lots of things do. And,
13 two, he didn't point to anything in support of this
14 putting into a coma. It was just the extrapolation.

15 Now, that's what I want you to focus on,
16 because if what I've just said is correct, then I think
17 there is no support in this record for his conclusion.
18 If what I have said is incorrect, there might be
19 support.

20 MR. WYRICK: Well, a couple of things.
21 First, that assumes that a deep coma-like level of
22 unconsciousness is the relevant question. They argue
23 that this Court's cases and the Constitution requires
24 that.

25 Now, that's beyond a surgical plane of

1 anesthesia that we would use in an operating room to
2 remove one of your limbs. A coma is -- is brain-dead,
3 EEG silence.

4 JUSTICE GINSBURG: Would any doctor --

5 MR. WYRICK: It's beyond --

6 JUSTICE GINSBURG: -- use this drug -- any
7 doctor who is conducting a surgical procedure, doesn't
8 want the patient to suffer pain, wants to induce this
9 unconscious state, would any doctor in the country give
10 this as the drug to induce that -- that coma-like
11 unconsciousness?

12 MR. WYRICK: It is routinely used to induce
13 anesthesia. It is not commonly used anymore for the
14 maintenance of anesthesia for -- for hours for
15 surgeries. Now, their source, this is the Saari
16 article, and that's spelled S-A-A-R-I, that their expert
17 cited -- and you can find this in the JA at 2-43 in his
18 report. He cited this article. And if you actually
19 read the article, it explains why midazolam is no longer
20 used for maintenance of general anesthesia. It says,
21 and I'm quoting, "Midazolam has been used to induce and
22 maintain general anesthesia. The recovery period of
23 midazolam is approximately three times longer than
24 propofol." Propofol is the drug that's more commonly
25 used now. "Therefore the genuine use of midazolam is

1 the sole induction and maintenance agent for general
2 anesthesia. It is nowadays exceptionally uncommon and
3 has been replaced by induction and maintenance of
4 fusions of propofol. For organizational and economic
5 reasons, fast-track recovery has gained popularity.
6 That's why midazolam" --

7 JUSTICE SOTOMAYOR: I -- I have a real
8 problem with whatever you're reading, because I'm going
9 to have to go back to that article. I am substantially
10 disturbed that in your brief you made factual statements
11 that were not supported by the cited -- of those sources
12 and in fact directly contradicted.

13 I'm going to give you just three small
14 examples among many I found. So nothing you say or read
15 to me am I going to believe, frankly, until I see it
16 with my own eyes the context, okay?

17 I'll give you a -- the three examples. On
18 pages 4 and 5 of your brief you cite, "This drug's FDA
19 approved label as holding that" -- "that this drug can
20 get you to mild sedation and to deep levels of sedation
21 virtually equivalent to the state of general anesthesia
22 where the patient may require external support for vital
23 functions."

24 But this quote was not on general use. This
25 quote came from the section of the FDA label where it

1 was saying that this drug's effects, when taken with
2 other drugs that suppress the central nervous system,
3 this can happen. That to me is -- really there is no
4 other central nervous system drug at play in this
5 protocol.

6 On page 6, you cite the --

7 JUSTICE SCALIA: Do you have an answer to
8 that one?

9 MR. WYRICK: Respectfully, Justice
10 Sotomayor, in the brief we explained that --

11 JUSTICE SOTOMAYOR: No, sir. Go --

12 MR. WYRICK: The FDA -- the FDA label says
13 that the effects of the drug depend upon three things:
14 The rate of infusion -- I think it's the -- the
15 maintenance -- the infusion -- the rate -- the dosage of
16 the rate of infusion and whether it's used in
17 conjunction with other CNS depressants and --

18 JUSTICE SOTOMAYOR: But you didn't -- you
19 quoted this for the proposition that it could cause a
20 fatality because of the depression of -- or it could
21 produce general anesthesia.

22 MR. WYRICK: At JA 217, their expert agrees
23 that it can cause a fatality. He agrees that it caused
24 80 fatalities.

25 JUSTICE SOTOMAYOR: Sure, but he said it's

1 in old people.

2 I'm -- you know, there have been 80 deaths
3 from therapeutic doses of this drug. It's -- this is
4 almost like you saying because 80 people have died from
5 the use of one aspirin, that means that if I give people
6 100 aspirins, they're going to die. It's just not
7 logical. Obviously, people die from anything that you
8 give them, that's why there are hospital fatalities in
9 every procedure and why there's -- that -- but 80 among
10 the millions that are given this drug don't die.

11 So my point is, what -- the FDA is saying
12 the general anesthesia effect is only going to happen
13 when you have a central nervous drug -- central nervous
14 system drug.

15 MR. WYRICK: The FDA has said no such thing.

16 JUSTICE SOTOMAYOR: Well, they put it in
17 that section.

18 MR. WYRICK: They described in that section
19 the potential effects and they described -- they said 3
20 thing matter when you're looking at the effects. How
21 much of the drug you're giving, the rate at which you're
22 giving it, and whether it's given with another drug.

23 JUSTICE SOTOMAYOR: Exactly.

24 MR. WYRICK: Now, their -- their expert
25 said -- unqualifiedly he said the FDA tested this drug

1 and injected --

2 JUSTICE SOTOMAYOR: All right. Let me give
3 you a second example: The Melvin study. The Melvin
4 study says this is how it happened. It gave this drug
5 in doses of .02 to .06, and what it showed was that at
6 .06 dose, there was less effect than at .02.

7 And he said, this suggests that there is a
8 ceiling effect to this drug and that it is less potent
9 as you go in higher doses.

10 Now, you quoted for saying -- and you took
11 out the eclipse -- there may be a ceiling -- you quote
12 it by saying that, "The Melvin study for the position
13 that studies on humans have found that the anesthetic
14 effect of midazolam increased linearly with dosage and
15 estimate that 2milligrams is enough for full surgical
16 anesthetic."

17 But what Melvin actually said, after
18 pointing out that the ceiling effect is shown by his
19 study, he says, "But presuming there were no ceiling
20 effect, extrapolation of our data suggests that such a
21 dose would be sufficient." You took out that --

22 MR. WYRICK: Respectfully, Justice
23 Sotomayor, what they were comparing was a .2 milligram
24 per kilogram dose of a different drug to a .6 milligram
25 dose -- per kilogram dose of midazolam. They said we

1 would have expected midazolam to have a greater effect
2 than the other drug because it's more potent than the
3 other drug. But as it turns out, there's two things
4 going on. Either there's some dose-dependent
5 relationship with the other drug, or they said, there
6 may be some ceiling effect here. They -- they
7 hypothesized that there may be.

8 They say if there's not a ceiling effect and
9 you extrapolate out what we know about the drug, you get
10 the full anesthesia 2 milligrams per kilogram.

11 JUSTICE SOTOMAYOR: Well, we're back. Well,
12 we're back to is there a ceiling effect? The judge here
13 said, does it matter?

14 MR. WYRICK: And let's talk about their
15 evidence. First of all, neither of their experts could
16 say at what level a ceiling effect occurs. And it's not
17 relevant whether there is or is not a ceiling effect.
18 Their expert said all drugs have a ceiling effect at
19 some point.

20 What matters is, is there a ceiling effect
21 that kicks in before we get to a level where they're
22 unconscious and unaware of the pain? That's the
23 constitutionally relevant inquiry. And on this point,
24 they presented the district court with two pieces of
25 evidence: Dr. Lubarsky, a Material Safety Data Sheet

1 for midazolam, that as we pointed out in our brief,
2 never even mentioned ceiling effect.

3 JUSTICE KAGAN: But Mr. Wyrick, it would be
4 very different if the court had said, look, we don't
5 think you've presented enough evidence that the ceiling
6 effect kicks in at this point, right? But that's not
7 what the court said. The court had this alternative
8 theory, which is that it didn't have to concern itself
9 with whether the ceiling effect had kicked in. And
10 that's the thing that not -- you don't defend as well.
11 But that was what the court said.

12 MR. WYRICK: I -- I -- that's not quite how
13 we read the district court's opinion. What we said --
14 he recounted their explanation of what the ceiling
15 effect was -- I think this is at JA 77 or 78 -- and
16 says whatever it may be with respect to anesthesia, he
17 said, which occurs at the spinal cord level, he said --

18 JUSTICE KAGAN: Yes. Whatever it might be,
19 we don't have to worry about it because all we have to
20 worry about is the brain and not the spinal cord, and in
21 the brain, there is no ceiling effect. And that's just
22 wrong. You know that's wrong.

23 MR. WYRICK: We know the central nervous
24 system depressant works throughout the central nervous
25 system, right? So it -- it's affecting these GABA

1 receptors which are located in the spinal cord and in
2 the brain.

3 Now, his point was perhaps those GABA
4 receptors could be fully saturated with GABA at the
5 spinal cord level, but the question is at the brain
6 level. Are we, in his words, paralyzing the brain to
7 such an extent that the person is unconscious and
8 unaware of pain? And he said he thought the evidence
9 was sufficient to -- to conclude that it was. And we
10 look at the evidence --

11 JUSTICE KAGAN: Well, I just read it -- I
12 think if we go back and read it, it will show that what
13 he was saying was we just don't have to worry about the
14 ceiling effect because at the brain level, the ceiling
15 effect has no relevance.

16 Let me ask you another question. Maybe this
17 is one we'll agree on. Maybe not. I'm not sure.

18 Do you think that if we conclude that there
19 is just a lot of uncertainty about this drug, in other
20 words, you know, you might be right, or Ms. Konrad might
21 be right, and it's really just impossible to tell.
22 Given that nobody does studies on this drug, it would be
23 unethical to do studies on this drug, we simply can't
24 know the answer to these questions. If that's the state
25 of the world, do you think it's a violation of the

1 Eighth Amendment to use it?

2 MR. WYRICK: If there is a risk of serious
3 pain that rises to a substantial or objectively
4 intolerable.

5 JUSTICE KAGAN: No. Well, you're just
6 repeating the standard. But I'm giving you a set of --
7 we just don't know. It might be substantial pain; it
8 might not be substantial pain. I mean, we can't -- we
9 can't -- we can't quantify it at all.

10 MR. WYRICK: If what you're suggesting is
11 shifting the burden to the State to show that there's
12 some medical consensus that a drug can, in fact, do this
13 at these dosages, we know that --

14 JUSTICE KAGAN: I guess I'm not talking
15 about burdens. I'm talking about a district court who's
16 presented with evidence. Just put yourself in the
17 position of a district judge. And the evidence is who
18 can tell? Nobody can tell. What is a district court
19 supposed to do at that point?

20 MR. WYRICK: Well, this Court in *Brewer v.*
21 *Landrigan*, which was an appeal from the Ninth Circuit in
22 a -- of a similarly postured case, it was a temporary
23 injunction, that was a challenge to the efficacy of
24 lethal injection drugs vacated a -- a temporary
25 injunction granted by lower courts and said the burden

1 is on the petitioner to show that it is sure or very
2 likely that they will suffer from the harm. They said
3 speculative evidence isn't enough. So that's the burden
4 that they bear.

5 JUSTICE KAGAN: So then I think I have not
6 found a place where I agree with you, because that
7 seems -- that seems quite something to me. I mean, that
8 would be like saying -- people say that this potassium
9 chloride, it's like being burned alive. We've actually
10 talked about being burned at the stake, and -- and
11 everybody agrees that that's cruel and unusual
12 punishment.

13 So suppose that we said, we're going to burn
14 you at the stake, but before we do, we're going to use
15 an anesthetic of completely unknown properties and
16 unknown effects. Maybe you won't feel it, maybe you
17 will. We just can't tell. And -- and you think that
18 that would be okay.

19 MR. WYRICK: I think that that -- a
20 Petitioner in that case would have no trouble meeting --
21 satisfying the burden this Court imposed in Baze, which
22 is showing that that puts me at a substantial risk,
23 objectively intolerable risk of severe pain. That --
24 that threshold showing would be incredibly easy to make
25 in that case.

1 JUSTICE KAGAN: No, I'm -- I'm saying,
2 because you just don't know about the anesthesia. Maybe
3 the anesthesia will cover all that -- the pain of being
4 burned at the stake or maybe it won't. The court
5 doesn't know.

6 MR. WYRICK: That isn't the world that we
7 live in, and it's certainly not the world that this
8 district court lived in. We know -- we know for a fact,
9 these are the conceded facts. Their expert said, this
10 dosage of midazolam will render these Petitioners
11 unconscious in no more than 60 to 90 seconds. We know
12 that induction of anesthesia is an FDA-approved
13 indication for this drug.

14 JUSTICE KAGAN: Induction, but not
15 maintenance.

16 MR. WYRICK: For certain, yes.

17 JUSTICE KAGAN: And there is the world of
18 difference between the two, isn't there?

19 MR. WYRICK: Induction is the creation of
20 anesthesia. Maintenance is the keeping it at that state
21 for many hours for a surgery. That's not -- we're
22 not --

23 JUSTICE KAGAN: Or for -- or for the time it
24 takes for the potassium chloride to kill somebody.

25 MR. WYRICK: And we also put on evidence

1 that this drug is approved for usage and is commonly
2 used for painful, invasive procedures like setting of a
3 femoral IV. I think the intubation example is a very
4 good example, because we pointed out that this drug,
5 midazolam, is regularly and routinely used for rapid
6 sequence intubation.

7 JUSTICE BREYER: What you have here, their
8 expert saying, as I previously said, that this drug will
9 not keep you asleep. Once these two others are
10 introduced, you will be jolted into consciousness; that
11 is his testimony. I believe he supported that with
12 medical articles, but I'll look to see.

13 If it turns out it is supported, we have to
14 look to the other side to see what was refuting it. And
15 what on the other side is refuting it, on 327 -- and I
16 agree with you that this ceiling effect is a big red
17 herring here -- what actually he said that would go
18 against it was that he said there is an extrapolation
19 from his conclusion that 500 milligrams could cause
20 death, and so if that much is likely to cause death,
21 it's certainly likely to cause a coma. And a coma would
22 prevent the person from -- from pain.

23 But his evidence for that was zero. We know
24 that, in fact, lots of drugs can kill people without
25 first putting them into a coma. And so we look to see

1 what is it he thinks that if this kills you will first
2 put you into a coma. And when I looked -- or asked my
3 clerks and others to look -- we found zero.

4 Now, that's my question. What can you point
5 me to which will show that what I think is the key
6 refutation of their expert rests upon zero, that's what
7 I'm asking you. That's what I've tried to ask,
8 inarticulately, perhaps, but now it's more articulate,
9 so --

10 MR. WYRICK: Again, and I have to make this
11 point, whether it creates a coma or not is not the
12 constitutionally relevant question.

13 JUSTICE BREYER: Oh, well --

14 MR. WYRICK: But based on how a central
15 nervous system depressant works, that a central nervous
16 --

17 JUSTICE BREYER: Let me put it differently.
18 Not the word "coma". I think what he was driving at,
19 your expert, was that you were in a state such that you
20 would feel no pain. And the reason he thought you were
21 in that state is because 500 mg will probably kill you.
22 And if it's going to kill you, it must, of course, at
23 least first put you in that state.

24 So I'm asking the same question, but I am
25 using the words "that state" in substitution for the

1 word "coma".

2 MR. WYRICK: Because of how a central
3 nervous system depressant works. It works by
4 depressing --

5 JUSTICE BREYER: I'm not asking you for
6 even -- I really want to know where in the record does
7 he provide support for that statement, that the, quote,
8 that state, end quote, precedes the death caused by this
9 drug.

10 MR. WYRICK: Well, he describes a couple of
11 things. First, he describes the action by which the
12 drug works as a central nervous system depressant.
13 It -- it -- by causing death --

14 JUSTICE SOTOMAYOR: But --

15 MR. WYRICK: -- it works by paralyzing the
16 brain to such an extent that your respiratory drive is
17 knocked out. Your brain --

18 JUSTICE SOTOMAYOR: But that's the clear
19 error here. It starts right there. Because the reason
20 Evans thought that it worked -- paralyzed the brain is
21 because he thought this worked on the spinal cord. And
22 nobody argues it works on the spinal cord, number one.
23 And, number two, this is not a central nervous system
24 drug. That's the barbiturates. This is -- works very
25 differently than barbiturates.

1 MR. WYRICK: This is a central nervous
2 system depressant, just like a barbiturate.

3 JUSTICE SOTOMAYOR: Depressant, but it's not
4 a --

5 MR. WYRICK: It's not -- it's not a
6 barbiturate, but makes -- they are both --

7 JUSTICE SOTOMAYOR: Exactly. It has no
8 pain-relieving qualities.

9 MR. WYRICK: No, but they're both central
10 nervous system depressants. The barbiturates have no
11 pain-relieving qualities either. That's -- that's
12 undisputed on the record. So I want --

13 JUSTICE SOTOMAYOR: You're right, it --
14 it -- but it's still -- I don't know where you're
15 getting -- Justice -- Justice Breyer said, the proof of
16 that.

17 MR. WYRICK: Because it's a conceded fact on
18 this record that a 500 milligram dose will render them
19 unconscious within a matter of 60 to 90 seconds. That
20 means that the central nervous system depressant is
21 working to such a state to paralyze their brain and
22 render them unconscious. It is a conceded fact that
23 they will be --

24 JUSTICE SOTOMAYOR: You're unconscious, but
25 that doesn't tell me that you're not feeling pain, or

1 that a noxious stimulant like being burned alive won't
2 cause pain.

3 Look at what happens with the intubations.
4 They paralyze your throat, they give you this drug, but
5 they're paralyzing your throat, and that has its own
6 anesthetic effect and pain relief.

7 So what you're arguing is very different
8 from what's happening here. They're putting a chemical
9 inside of you that's burning you to death. That is the
10 most noxious stimuli I can think of.

11 MR. WYRICK: Respectfully, you have that
12 backwards on intubation. They give the paralytic -- the
13 same paralytic that's the second drug here -- first to
14 keep the patient from -- or they give the midazolam
15 first to anesthetize them, and then give them the
16 paralytic to keep them from moving. The same paralytic
17 that these Petitioners say cause the unconstitutional
18 agonizing suffering. And I'm telling you, rapid
19 sequence intubation is done routinely, giving patients a
20 small dose of midazolam, paralyzing them with that
21 paralytic, causing the same --

22 JUSTICE SOTOMAYOR: No, they paralyze them
23 also with the throat local anesthetic. I mean, I read
24 it.

25 MR. WYRICK: The rapid sequence intubation

1 describes midazolam as the first-line choice.

2 JUSTICE SOTOMAYOR: Sure, it's a first line
3 in a lot of things --

4 MR. WYRICK: But we also --

5 JUSTICE SOTOMAYOR: But it doesn't keep you
6 in an anesthetic state forever. It doesn't keep you
7 during the procedure --

8 MR. WYRICK: I --

9 JUSTICE SOTOMAYOR: -- during surgeries.

10 MR. WYRICK: It can.

11 JUSTICE SOTOMAYOR: In some.

12 MR. WYRICK: Look at the Saari article cited
13 by their experts which describes the use of the
14 anesthetic.

15 The other thing I want to point out is the
16 16 professors' brief, because this really is their
17 ceiling effect in a nutshell, this -- this figure that's
18 in the brief. It shows that a benzodiazepine puts you
19 right to a surgical plane of anesthesia, but not beyond.
20 Now, first we would say a surgical plane of anesthesia
21 is sufficient. But go to that source. The source that
22 they cite for that chart, it's the Brenner textbook, and
23 read what it actually says with respect to this chart.

24 Here's what it says: Benzodiazepines
25 exhibit a ceiling effect which precludes severe CNS

1 depression after oral administration of these drugs.
2 Intravenous administration of benzodiazepines can
3 produce anesthesia. That's what the text actually says.

4 That's what the Saari article actually says.
5 You can produce anesthesia with these drugs. The fact
6 that they're not commonly used as general anesthetics is
7 because we have better choices, not because the drug is
8 incapable of producing that effect.

9 Now, remember, here's where their experts
10 started, here's where they started in the blue brief.
11 They said that because of the ceiling effect, this drug
12 is incapable of producing a coma. We said someone
13 forgot to tell the FDA, because the warning is right
14 there in the FDA label about coma. So they have
15 retreated now in the reply brief to, well, it can't
16 reliably produce a coma.

17 Well, if it can get someone to a coma, where
18 is the ceiling effect? Is there some basic
19 pharmacological principle with this drug that prevents
20 the drug from ever getting to a coma or not? We have
21 established there is not.

22 We ask you to also look at the cases out of
23 Florida. There, for instance, Dr. Markeith, an
24 anesthesiologist who was the anesthesiologist for inmate
25 Baze, in Baze v. Rees, testifying for an inmate in

1 Florida --

2 JUSTICE SOTOMAYOR: If I come out of this
3 argument, because you presented a lot of things to us
4 that wasn't before either the district court or the
5 court of appeals, wouldn't be -- and I believe that your
6 experts didn't prove their point at all and that they
7 showed enough. Why don't we let the district court
8 below sort out whether it still holds to its opinion
9 based on a plethora of materials you've given us?

10 MR. WYRICK: Two quick responses.

11 One is they didn't meet their burden under
12 Brewer v. Landrigan, a showing that is sure or very
13 likely on the record that they presented. Second, we
14 put plenty of rebuttal evidence on, enough to support
15 the district court's finding. There's no clear error
16 here. And the two-court rule applies, because we have a
17 court of appeals affirming that district court finding.

18 CHIEF JUSTICE ROBERTS: Mr. Wyrick, to an
19 extent that's unusual even in this Court, you have been
20 listening rather than talking. And so I'm happy to give
21 you an extra five minutes, if you'd like.

22 And, of course, we'll give additional time
23 to you, as well, Ms. Konrad. And hopefully we'll have a
24 chance to hear what you have to say.

25 MR. WYRICK: I appreciate that. And I want

1 to continue my point about ceiling effect and what
2 evidence they put on. I -- I told you about the first
3 source, which was the Material Safety Data Sheet. It
4 says nothing about a ceiling effect. We pointed that
5 out. Nothing in the reply brief on that.

6 Their second was the study about rats, the
7 Hovinga study. We pointed out again -- we read that
8 study. There's no mention of a ceiling effect. Again,
9 no response in the reply brief. Now, that's the
10 evidence that they put before the district court on what
11 they said clearly demonstrates that there's a ceiling
12 effect.

13 Now, after the fact, when we were at the
14 court of appeals, their expert submitted an additional
15 declaration and cited two more sources. He cited this
16 Hall study, which was the dog study, where they took 5
17 dogs, gave them a big dose of midazolam and clamped
18 their tails. And that study concluded, well, we see the
19 midazolam -- the effect of the drug begins to slow at a
20 certain point and hypothesized, well, there may be a
21 ceiling effect, because the drug -- the -- the effects
22 of the drug are beginning to slow.

23 But that study concluded, as we pointed out
24 in the response brief, that if you take the results and
25 you extrapolate out, once you get to about 30 milligrams

1 per kilogram for a dog, you would achieve full surgical
2 anesthesia, full surgical anesthesia.

3 Now, their other expert, he cited the Saari
4 article for the proposition that there is a ceiling
5 effect. It just cites back to Hall, the dog study, and
6 says, well, there may be a ceiling effect. And then it
7 goes on to say that, in fact, this drug has been used
8 for general anesthesia as the sole drug, and that its
9 use was discontinued because propofol came along, and it
10 was a better choice.

11 That was their record case for a ceiling
12 effect. So when they stand up and say that they clearly
13 demonstrated that there was, in fact, a ceiling effect,
14 they're just wrong.

15 Now, the other study that Dr. Lubarsky cited
16 in his after-the-fact declaration that was never
17 submitted to the district court, was the Greenblatt
18 study. And he claimed that that study showed that at .3
19 milligrams per kilogram there was a ceiling effect. We
20 went and read the study. .3 milligrams per kilogram
21 were -- were never given to the patients in that study.
22 That study was about what happens if we give .1
23 milligrams per kilogram of this drug? At varying
24 dosages, what happens? We pointed that in -- that out
25 in the response brief. Nothing in the reply.

1 Their evidence on this ceiling effect is
2 indefensible because if you go and read the sources,
3 they just don't say what Dr. Lubarsky said that they
4 say.

5 Paradoxical effects have fallen out of the
6 case. This lack of analgesia, again, we've pointed out,
7 is only relevant if someone's not unconscious and
8 insensate. They just can't avoid the fact that the
9 district court here made this factual finding and said
10 it's a virtual certainty. If it's a virtual certainty
11 that they're unconscious and unaware of the pain, they
12 cannot establish a substantial probability or an
13 objectively intolerable risk.

14 Thank you.

15 CHIEF JUSTICE ROBERTS: Thank you, counsel.

16 Ms. Konrad, why don't you take 8 minutes, up
17 to 8 minutes.

18 REBUTTAL ARGUMENT OF ROBIN C. KONRAD

19 ON BEHALF OF THE PETITIONERS

20 MS. KONRAD: Justice Kagan, I wanted to
21 address your hypothetical. And it -- in this case, if
22 the risk from using midazolam, if Petitioners are
23 correct, manifests itself, then there will be
24 unconstitutional pain and suffering. And my friend
25 admitted that, that if, in fact, a person is burned

1 alive and didn't have appropriate anesthesia, that would
2 be unconstitutional.

3 JUSTICE KAGAN: I guess the question I was
4 asking was if a person was burned alive and we didn't
5 know whether he had appropriate anesthesia, would that
6 be unconstitutional, too?

7 MS. KONRAD: That would be, Justice Kagan,
8 and that's -- the point here is that the district court
9 below found that there is a greater risk in using
10 midazolam, but found it was unquantifiable. And so if
11 that risk, in fact, manifests itself, there will be a
12 constitutionally intolerable execution. And this case
13 is different than Brewer v. Landrigan because in that
14 case, the drug formula at issue was using sodium
15 thiopental, which --

16 JUSTICE ALITO: If an -- if an
17 anesthesiologist rendered a person completely
18 unconscious, and then the person was burned alive, would
19 that be cruel and unusual punishment?

20 MS. KONRAD: Justice Alito, I think the
21 problem isn't rendering somebody unconscious. What the
22 problem is, and what is necessary, is to ensure that the
23 person maintains a -- a deep level of unconsciousness.

24 JUSTICE ALITO: Yes. So an anesthesiologist
25 is called in to make sure that this person feels no pain

1 whatsoever while being burned alive, and then the person
2 is burned alive, would that not be a violation of the
3 Eighth Amendment anyway?

4 MS. KONRAD: It could be. That's not the
5 question, though, before this Court, and the -- the --

6 JUSTICE KAGAN: Because potassium
7 chloride --

8 MS. KONRAD: An --

9 JUSTICE KAGAN: -- is kind of like that,
10 isn't it? It's being burned alive from the inside.
11 That's what it is.

12 MS. KONRAD: That's exactly what it is,
13 Justice Kagan, but what --

14 JUSTICE ALITO: But you're not sure that
15 being burned alive -- that you think there are
16 circumstances in which burning somebody at the stake
17 would be consistent with the Eighth Amendment?

18 MS. KONRAD: It is --

19 JUSTICE ALITO: It's an irrelevant point,
20 but you're -- you're not certain about that?

21 MS. KONRAD: Well, what I'm saying is that
22 this Court has -- the founders say burning at the stake
23 is unconstitutional. It creates an Eighth Amendment
24 violation. It's cruel and unusual. But in your
25 hypothetical, if there was a way to ensure that that was

1 done in a humane way, there could perhaps be. That -- I
2 don't think that any -- any State would go to try to do
3 that, because we move forward evolving --

4 JUSTICE ALITO: That's an incredible answer.
5 You think that there are circumstances in which burning
6 alive would not be a violation of the Eighth Amendment?
7 Burning somebody alive would not be a violation of the
8 Eighth Amendment?

9 JUSTICE KAGAN: You see, but potassium
10 chloride is burning somebody alive. It's just doing it
11 through the use in a -- of a drug.

12 MS. KONRAD: Which is what we have here and
13 here the district court found a risk, a risk that it
14 could not quantify. And that risk violates the Eighth
15 Amendment. Again, what this Court needs to understand
16 is that the barbiturates function differently.

17 In Baze and in Landrigan, the -- there was a
18 use of a barbiturate that was known to produce a deep
19 coma-like unconsciousness. And the reason why that's
20 important, it doesn't matter that barbiturates also
21 don't have analgesic properties because we know --
22 science and medicine tells us that those drugs will
23 reliably induce a deep coma-like unconsciousness.
24 Midazolam cannot do this.

25 And the -- my friend has -- has said that

1 there is no support for the ceiling effect. And we
2 would disagree. And -- and our expert cited studies.
3 The study on the rats that was cited in -- as Exhibit 2,
4 shows the sigmoidal Emax curve, which he explained in
5 his testimony. The State's expert had no explanation,
6 had no support for the testimony that he presented.

7 When he testified, he did not have data to
8 cite. He was incorrect. He made a mathematical error.
9 And, again, what this Court needs to understand is that
10 giving the drug, even if it could potentially cause a
11 toxic effect, that will not protect against the
12 unconstitutional pain and suffering from the second and
13 third drugs.

14 Thank you.

15 CHIEF JUSTICE ROBERTS: Thank you, counsel.

16 The case is submitted.

17 (Whereupon, at 11:19 a.m., the case in the
18 above-entitled matter was submitted.)

19

20

21

22

23

24

25

A				
a.m 1:13 3:2 59:17	Alito 9:17,22,25 10:4,9 11:1,4,6 13:22 14:1,4 15:5 21:18,21 22:4,5,17,20 22:23 23:2,4 23:15,20 56:16 56:20,24 57:14 57:19 58:4	49:6,23 50:6 50:14	35:9 50:12 51:4 54:4	bear 26:5 43:4
ability 11:23	Alito's 16:21	anesthetics 51:6	articles 32:21,22 32:23 45:12	bearing 16:22 17:3
able 6:6 8:1	alive 17:18 27:21 43:9 49:1 56:1,4,18 57:1,2,10,15 58:6,7,10	anesthetize 49:15	articulate 46:8	beginning 53:22
abolish 14:16	allow 14:8 15:10	answer 16:20 17:8 36:7 41:24 58:4	aside 26:12	begins 53:19
abolitionist 15:17	alternative 19:11,21 40:7	antianxiety 32:7	asked 46:2	behalf 1:15,18 2:4,7,10 3:7 25:22 55:19
abolitionists 15:24	alternatives 18:7,10	anxious 29:24	asking 3:22 46:7 46:24 47:5 56:4	believe 32:2 35:15 45:11 52:5
above-entitled 1:11 59:18	amended 27:13	anymore 34:13	asleep 45:9	benzodiazepine 50:18
abroad 14:8	Amendment 18:19 21:14 42:1 57:3,17 57:23 58:6,8 58:15	anyway 57:3	aspect 13:21	benzodiazepin... 50:24 51:2
absence 18:9	amount 10:5	appeal 42:21	aspirin 37:5	better 25:18 51:7 54:10
abstract 20:19	amounts 14:21	appeals 24:15 26:10,12 52:5 52:17 53:14	aspirins 37:6	beyond 33:25 34:5 50:19
abuse 5:14	analgesia 29:15 55:6	APPEARAN... 1:14	assisted 14:8	big 45:16 53:17
acceptable 19:10	analgesic 58:21	Appendix 5:24 8:5	assume 14:9	bit 17:25 26:18
accepted 31:20	analgesics 29:16	applies 52:16	assumes 33:21	blame 12:13
achieve 54:1	anesthesia 6:17 31:3,5,11,20 34:1,13,14,20 34:22 35:2,21 36:21 37:12 39:10 40:16 44:2,3,12,20 50:19,20 51:3 51:5 54:2,2,8 56:1,5	appreciate 52:25	availability 17:12	blue 51:10
action 47:11	anesthesiologist 51:24,24 56:17 56:24	appropriate 14:20 56:1,5	available 15:15 16:5,13,23	body 30:19
addition 21:13	anesthetic 38:13 38:16 43:15	approved 35:19 45:1	avoid 55:8	botched 10:12
additional 52:22 53:14		argues 28:1 47:22	aware 3:15 32:14	brain 6:18 7:15 8:15,17 9:9 40:20,21 41:2 41:5,6,14 47:16,17,20 48:21
address 55:21		arguing 22:5 49:7	awareness 6:18	brain-dead 34:2
administered 23:5		argument 1:12 2:2,5,8 3:3,6 19:17 21:19 22:2,3 24:9 25:21 52:3 55:18	<hr/> B <hr/>	Brenner 50:22
administration 11:15 51:1,2		arguments 21:22	back 35:9 39:11 39:12 41:12 54:5	Brewer 42:20 52:12 56:13
admission 7:19		Ariz 1:15	backwards 49:12	Breyer 20:23 21:8,17 23:25 24:7 25:2,3,16 31:21,23 32:17 32:20 33:1 45:7 46:13,17 47:5 48:15
admitted 55:25		article 24:24 34:16,18,19	barbiturate 48:2 48:6 58:18	brief 5:1 7:13 29:10 31:14 35:10,18 36:10 40:1 50:16,18 51:10,15 53:5
affirmed 26:10 26:11			barbiturates 5:22 47:24,25 48:10 58:16,20	
affirming 52:17			based 7:22,24 8:24 22:14 32:21 46:14 52:9	
after-the-fact 54:16			basic 51:18	
agent 35:1			basing 32:23	
ago 11:10 22:10			Baze 13:17,21 24:10 32:1 43:21 51:25,25 58:17	
agonizing 49:18				
agree 18:11 26:5 41:17 43:6 45:16				
agrees 31:18 36:22,23 43:11				
ahead 24:6				
AL 1:3,6				

<p>53:9,24 54:25 burden 6:23 26:5,13 42:11 42:25 43:3,21 52:11 burdens 42:15 burn 43:13 burned 27:21 43:9,10 44:4 49:1 55:25 56:4,18 57:1,2 57:10,15 burning 17:18 49:9 57:16,22 58:5,7,10</p> <hr/> <p style="text-align: center;">C</p> <hr/> <p>C 1:15 2:1,3,9 3:1,6 55:18 calculated 9:21 9:22 call 5:5 29:13 called 56:25 capable 13:13 21:25 22:20 capital 14:24 19:14 care 8:16 31:8 carried 11:2 14:6,9 20:22 carry 14:10,24 15:7,10 18:16 carrying 21:25 case 3:4 5:19,19 5:20 6:25 11:9 11:18 19:3,12 22:12 23:21 24:15,23 27:10 29:5,9 42:22 43:20,25 54:11 55:6,21 56:12 56:14 59:16,17 cases 33:23 51:22 catch 29:13 catheter 12:1 cause 3:12 16:7</p>	<p>18:14,18 21:12 23:1 28:10 36:19,23 45:19 45:20,21 49:2 49:17 59:10 caused 3:15 10:21 36:23 47:8 causes 28:2 causing 22:21 30:15 47:13 49:21 ceiling 5:25 6:7 6:8,12,14 8:12 8:13,17 9:7,10 9:12,18,24,25 10:23 26:19 30:21,22,24 38:8,11,18,19 39:6,8,12,16 39:17,18,20 40:2,5,9,14,21 41:14,14 45:16 50:17,25 51:11 51:18 53:1,4,8 53:11,21 54:4 54:6,11,13,19 55:1 59:1 central 9:4 24:17 28:12 36:2,4 37:13 37:13 40:23,24 46:14,15 47:2 47:12,23 48:1 48:9,20 certain 6:1 17:2 44:16 53:20 57:20 certainly 6:25 14:14 44:7 45:21 certainty 25:7 26:2 55:10,10 chair 18:24 challenge 42:23 chamber 18:24 20:16</p>	<p>chance 52:24 characterizati... 19:23 chart 50:22,23 chemical 49:8 Chief 3:3,8 6:5 6:10,14,22 7:3 11:14,19,20 18:20 19:1,5,9 19:20 20:1,7 20:10,14,18 24:4 25:17,20 25:23 30:4 52:18 55:15 59:15 chloride 3:12 12:19 27:6 28:5,18,23 30:15,19 43:9 44:24 57:7 58:10 choice 50:1 54:10 choices 51:7 chooses 3:10 17:2 chose 18:16 Circuit 42:21 circumstances 57:16 58:5 cite 35:18 36:6 50:22 59:8 cited 22:10 34:17,18 35:11 50:12 53:15,15 54:3,15 59:2,3 cites 54:5 citing 24:23 City 1:18 claimed 54:18 clamped 53:17 clarify 27:25 class 5:22 clear 5:15,16,18 7:18 8:3 23:24 47:18 52:15 clearly 3:23 6:24</p>	<p>7:4 22:7,11,11 25:12 29:2 32:4 53:11 54:12 clerks 46:3 client 19:13,14 20:25 clients 3:10 CNS 36:17 50:25 coma 24:25 33:3 33:8,11,14 34:2 45:21,21 45:25 46:2,11 46:18 47:1 51:12,14,16,17 51:20 coma-like 10:25 11:13 12:17 31:15 33:21 34:10 58:19,23 combination 16:14 combined 8:4 Comcast 22:9 come 15:21 20:21 24:12 32:13 52:2 comes 19:12 commonly 31:20 34:13,24 45:1 51:6 companies 15:18 comparing 38:23 complaint 27:14 completely 26:24 30:14,17 43:15 56:17 conceded 7:12 44:9 48:17,22 concern 13:19 40:8 concerned 30:25 conclude 41:9 41:18</p>	<p>concluded 53:18 53:23 conclusion 7:6 7:22 25:5 26:16 33:17 45:19 conducted 12:13 conducting 34:7 conjunction 36:17 connection 11:24 consciousness 12:4 32:14 45:10 consensus 4:7 7:25 42:12 considerably 27:22 consistent 21:14 26:24 30:14,17 57:17 consists 14:22 Constitution 33:23 constitutional 3:14 14:13,14 15:8 17:4,24 constitutionally 3:18 4:5 5:9 24:12 31:12 39:23 46:12 56:12 context 24:10 35:16 continue 53:1 continuously 19:25 contradicted 35:12 contradiction 9:11 contrary 8:1 25:4 controversial 14:13,14 cord 6:15 8:14</p>
---	---	---	---	--

<p>9:8 40:17,20 41:1,5 47:21 47:22 correct 7:15,16 7:22,23 10:12 10:21 17:19,20 22:4 25:1 33:16 55:23 counsel 17:5 55:15 59:15 Counselor 16:10 countenance 14:21 country 14:7 34:9 couple 33:20 47:10 course 10:9 46:22 52:22 court 1:1,12 3:9 3:16,21,23 4:4 4:13,18 5:17 5:21,23 6:2,6 6:13,20 7:6,21 7:24 8:4,11,22 8:24 9:11 12:5 13:17 14:12,18 15:21 16:5 21:7 22:7,9 23:23 24:15,16 25:24,25 26:10 28:25 29:6 30:24 31:7 39:24 40:4,7,7 40:11 42:15,18 42:20 43:21 44:4,8 52:4,5,7 52:17,17,19 53:10,14 54:17 55:9 56:8 57:5 57:22 58:13,15 59:9 court's 5:4 29:1 32:2 33:23 40:13 52:15 courts 15:6 26:11,12 42:25</p>	<p>cover 44:3 create 6:16 creates 4:19,21 5:8 46:11 57:23 creation 44:19 crime 21:3 crucial 9:9 cruel 43:11 56:19 57:24 curve 59:4</p> <hr/> <p style="text-align: center;">D</p> <hr/> <p>D 3:1 D.C 1:8 data 38:20 39:25 53:3 59:7 de 5:2 dead 33:5,7,8 death 14:12,15 14:17,19,22 16:24 18:24 19:15 21:13,22 22:21 23:1 45:20,20 47:8 47:13 49:9 deaths 37:2 decency 19:8 decide 15:6 decision 7:24 16:1,4 declaration 53:15 54:16 deep 10:25 11:13 12:16 13:3,3 33:21 35:20 56:23 58:18,23 defend 26:20 40:10 defending 7:11 defense 10:19 deference 5:4 definition 31:10 delivered 10:20 demonstrated 54:13</p>	<p>demonstrates 10:23 11:11 53:11 depend 36:13 depressant 40:24 46:15 47:3,12 48:2,3 48:20 depressants 36:17 48:10 depressing 47:4 depression 24:18 36:20 51:1 described 27:14 27:16 37:18,19 describes 47:10 47:11 50:1,13 descriptions 27:20 determination 3:24 determinations 6:24 determine 23:18 determined 6:6 develop 19:7 devised 21:24 dichotomy 9:8 die 18:4 28:18 37:6,7,10 died 37:4 difference 13:15 13:16 44:18 different 5:22 11:17 12:21 15:16 38:24 40:4 49:7 56:13 differently 46:17 47:25 58:16 directly 35:12 disagree 5:3 19:22 59:2 disagreements 26:4</p>	<p>disappeared 29:9 discontinued 54:9 discretion 5:15 disputes 15:1 district 3:16,21 3:23 4:4,13,18 5:4,16,23 6:2,6 6:13 7:5,21,24 8:4,24 22:6 23:23 24:16 25:25 29:1,5 30:24 31:7 32:2 39:24 40:13 42:15,17 42:18 44:8 52:4,7,15,17 53:10 54:17 55:9 56:8 58:13 disturbed 35:10 divide 5:10 doctor 7:9,14 12:2 29:19,20 34:4,7,9 dog 53:16 54:1,5 dogs 53:17 doing 58:10 dosage 9:18 12:7 12:8 36:15 38:14 44:10 dosages 42:13 54:24 dose 13:19 22:18 22:24,25 23:5 23:9,13,16,17 26:1,24 27:1 28:9,16,20 30:14 38:6,21 38:24,25,25 48:18 49:20 53:17 dose-dependent 39:4 doses 37:3 38:5 38:9</p>	<p>doubt 15:14 Dr 31:4 39:25 51:23 54:15 55:3 drive 47:16 driving 46:18 drug 3:18 4:7,8 4:18,21,23 5:5 5:8,21,22,25 6:2 8:1 9:4,8,9 9:10,24 10:24 11:2,11,15 12:14,15,25 13:3,6,7,23 15:14,15,22 16:6,8,9,13,15 17:17 18:9 22:15 23:22,22 24:16 26:22 28:3 29:18 32:7,7 34:6,10 34:24 35:19 36:4,13 37:3 37:10,13,14,21 37:22,25 38:4 38:8,24 39:2,3 39:5,9 41:19 41:22,23 42:12 44:13 45:1,4,8 47:9,12,24 49:4,13 51:7 51:11,19,20 53:19,21,22 54:7,8,23 56:14 58:11 59:10 drug's 35:18 36:1 drugs 3:12,13,15 14:23 15:1,16 15:17,20,25 20:5 21:11 24:12,21 25:9 26:8 32:12 33:12 36:2 39:18 42:24 45:24 51:1,5</p>
--	---	--	---	---

58:22 59:13 duly 19:16	eliminates 6:18 Emax 59:4 ensure 56:22 57:25 equivalent 35:21 erred 3:16 erroneous 3:23 6:24 7:4 22:7 22:12,13 29:3 32:4 error 5:15 7:18 8:3 23:24 47:19 52:15 59:8 errors 5:16,18 ESQ 1:15,17 2:3 2:6,9 essentially 33:6 establish 55:12 established 51:21 establishing 26:6 estimate 38:15 ET 1:3,6 Evans 47:20 everybody 27:4 43:11 evidence 4:22 5:14 7:25 9:18 10:2,5 22:14 22:15 28:1 39:15,25 40:5 41:8,10 42:16 42:17 43:3 44:25 45:23 52:14 53:2,10 55:1 evolving 19:8 58:3 exactly 37:23 48:7 57:12 example 38:3 45:3,4 examples 35:14 35:17 exceptionally	22:13 35:2 execute 3:10 21:4 executed 19:17 19:24 executing 21:11 execution 10:11 10:23 11:9 12:12 15:7,8 16:17 18:17,23 56:12 executioner 12:3 executions 11:1 11:9 14:5,11 exhibit 50:25 59:3 exist 18:10 expected 39:1 expert 6:3 7:19 8:2 9:21 10:22 22:23 24:22,23 25:4 31:3 32:5 32:10 34:16 36:22 37:24 39:18 44:9 45:8 46:6,19 53:14 54:3 59:2,5 expert's 9:2 31:10 experts 6:4 8:25 39:15 50:13 51:9 52:6 explained 9:3 13:18 22:11 36:10 59:4 explains 34:19 explanation 40:14 59:5 extent 24:2 29:11 41:7 47:16 52:19 external 35:22 extra 52:21 extraordinarily 29:11 extraordinary	28:19 extrapolate 39:9 53:25 extrapolation 33:6,14 38:20 45:18 eyes 35:16	feeling 12:18 13:9 27:21 48:25 feels 56:25 femoral 27:15 45:3 figure 8:10 30:20 50:17 find 3:22 15:13 33:9 34:17 finding 5:4 6:19 6:21 7:4 14:2 22:6 24:21 26:9,9 29:1 30:6,10 32:2,3 52:15,17 55:9 findings 22:12 22:13 26:15 27:7 firing 18:8,14,17 18:23 first 3:4,18 4:6 26:17 27:25 32:5 33:21 39:15 45:25 46:1,23 47:11 49:13,15 50:2 50:20 53:2 first-line 50:1 five 52:21 flat 31:14 Florida 26:11,12 51:23 52:1 focus 30:23 33:15 follows 12:12 forever 50:6 forget 30:5 forgot 51:13 formula 3:11 4:5 56:14 forth 11:16 forward 19:8 58:3 found 3:17,21 4:4,18,20 6:13 8:24 12:3 18:7
<hr/> E <hr/> E 1:3 2:1 3:1,1 earlier 28:7 easy 43:24 eclipse 38:11 economic 35:4 EEG 34:3 effect 6:1,7,14 6:15 8:12,13 8:18 9:7,10,12 9:18,24 10:1 10:24 26:19 30:23,24 33:6 37:12 38:6,8 38:14,18,20 39:1,6,8,12,16 39:17,18,20 40:2,6,9,15,21 41:14,15 45:16 49:6 50:17,25 51:8,11,18 53:1,4,8,12,19 53:21 54:5,6 54:12,13,19 55:1 59:1,11 effectively 6:17 effects 12:18 36:1,13 37:19 37:20 43:16 53:21 55:5 efficacy 42:23 efforts 14:17,22 Eighth 18:19 21:14 42:1 57:3,17,23 58:6,8,14 either 17:24 29:16 39:4 48:11 52:4 elect 17:17 electric 18:24 eligible 19:15 eliminate 3:22 eliminated 15:3	<hr/> F <hr/> fact 3:17,20 4:1 4:4,9,10,15 8:3 8:6 9:1 10:3 12:12 15:2,8 16:17,23 17:1 18:17 21:9 22:6 23:8,18 26:1,9,10 28:20 30:13,16 30:21 35:12 42:12 44:8 45:24 48:17,22 51:5 53:13 54:7,13 55:8 55:25 56:11 facts 4:6,14,14 5:12,21,24 6:20,23,25 7:5 20:24 21:4 44:9 factual 5:4 29:1 30:6,9 35:10 55:9 fallen 55:5 far 7:8 24:10 fast-track 35:5 fatalities 36:24 37:8 fatality 36:20,23 FDA 31:19 35:18,25 36:12 36:12 37:11,15 37:25 51:13,14 FDA-approved 44:12 feel 3:14 16:8 26:3,7 31:6,9 43:16 46:20			

<p>20:4 24:16 25:25 35:14 38:13 43:6 46:3 56:9,10 58:13 founders 57:22 frankly 35:15 free 14:16,18 friend 13:25 55:24 58:25 full 38:15 39:10 54:1,2 fully 41:4 function 58:16 functions 35:23 further 31:16 fusions 35:4</p> <hr/> <p style="text-align: center;">G</p> <p>G 3:1 GABA 40:25 41:3,4 gained 35:5 gas 18:3,24 20:8 20:16 general 1:17 34:20,22 35:1 35:21,24 36:21 37:12 51:6 54:8 generally 8:12 genuine 34:25 getting 48:15 51:20 Ginsburg 16:11 16:16 18:13,15 29:19 31:13 34:4,6 give 5:3 15:1 34:9 35:13,17 37:5,8 38:2 49:4,12,14,15 52:20,22 54:22 given 10:12 12:25 17:9 26:23 27:4 37:10,22 41:22</p>	<p>52:9 54:21 gives 27:21 giving 6:1 10:24 37:21,22 42:6 49:19 59:10 Gossip 1:3 3:4 go 5:13 7:7 8:14 20:6,8,9 24:6 31:16 32:8 35:9 36:11 38:9 41:12 45:17 50:21 55:2 58:2 gobbledygook 27:9 goes 5:2 8:13 9:14 54:7 going 6:2 10:24 14:5 15:7,9 18:4 23:17 27:11 35:8,13 35:15 37:6,12 39:4 43:13,14 46:22 good 16:9 25:13 45:4 granted 42:25 great 27:16 28:9 30:15 greater 4:19,21 27:5 39:1 56:9 Greenblatt 54:17 Gross 1:6 3:4 ground 22:7 guard 21:2 guerilla 14:21 guess 7:20 15:12 15:12 18:21 42:14 56:3 guilty 19:13</p> <hr/> <p style="text-align: center;">H</p> <p>Hall 53:16 54:5 Hanging 18:23 happen 29:12 36:3 37:12</p>	<p>happened 11:10 18:6 38:4 happening 49:8 happens 12:24 13:2 49:3 54:22,24 happy 52:20 hard 20:18 harm 4:19,21 5:9 43:2 hear 3:3 24:9 29:24 52:24 hearing 14:3 held 14:12 24:10 herring 45:17 hide 21:10 higher 38:9 hit 6:8 hits 6:11 holding 24:14 35:19 holds 52:8 honest 14:5 hopefully 52:23 hospital 37:8 hour 26:23 28:22 hours 10:17,18 34:14 44:21 Hovinga 53:7 huge 12:23 humane 18:23 19:6,21 58:1 humans 38:13 hypnotic 28:3 hypothesized 39:7 53:20 hypothetical 55:21 57:25</p> <hr/> <p style="text-align: center;">I</p> <p>idea 26:21 ignored 4:13 ignores 5:14 Ignoring 4:14 II 18:6 immediately</p>	<p>10:20 immobility 31:6 important 13:17 13:18 58:20 imposed 19:16 43:21 impossible 14:23 15:24 41:21 inability 31:5 inappropriate 29:7 inarticulately 46:8 incapable 13:14 51:8,12 inclined 15:13 includes 3:11 4:3 inconsistent 9:2 incorrect 33:18 59:8 increased 38:14 incredible 58:4 incredibly 23:10 23:14 43:24 indefensible 55:2 indicated 9:21 indicates 31:19 indication 44:13 individual 28:19 28:24 induce 31:15,17 34:8,10,12,21 58:23 induction 31:19 35:1,3 44:12 44:14,19 inflicting 22:1 information 5:20 9:1 20:22 22:25 infusion 36:14 36:15,16 injected 38:1 injection 16:12</p>	<p>20:4,16 28:2 42:24 injunction 42:23 42:25 inmate 51:24,25 inquiry 39:23 insensate 23:19 24:19 29:2,18 55:8 inserted 27:3 inside 49:9 57:10 instance 51:23 instinct 20:15 intended 22:16 intending 16:6 intends 4:24 11:12 intense 3:12 interrupted 17:8 intolerable 5:9 15:10,13 26:7 42:4 43:23 55:13 56:12 intravenous 11:23 51:2 introduce 9:17 introduced 13:8 45:10 introduction 32:12 intubation 45:3 45:6 49:12,19 49:25 intubations 49:3 invasive 27:15 45:2 involve 16:15 28:9 involves 4:18 involving 11:22 27:15 irrelevant 26:23 27:3,9 28:8 57:19 issue 12:5 21:17 56:14</p>
---	---	--	--	--

<p>issues 11:15,22 11:22 IV 27:15 45:3</p> <hr/> <p style="text-align: center;">J</p> <p>J 1:6 JA 34:17 36:22 40:15 jail 20:25 21:1 Joint 5:24 8:4 jolted 32:13 45:10 judge 5:13 39:12 42:17 judiciary 14:21 jurisdictions 14:6,7,8 Justice 3:3,8,19 3:25 4:2,9,12 4:16,25 5:7,11 5:12 6:5,10,14 6:22 7:3,7,11 7:16,18 8:7,20 8:22,23 9:6,14 9:15,17,22,25 10:4,9,10,13 10:14,18 11:1 11:4,6,14,19 11:20 12:6,11 12:16,20 13:5 13:11,22 14:1 14:4 15:5,12 16:3,10,11,16 16:19,20,21,21 17:1,5,7,13,15 17:16,20,22 18:11,13,15,20 19:1,5,9,20 20:1,7,10,14 20:18,23,24 21:8,17,18,21 22:4,5,17,20 22:22 23:2,3,4 23:8,11,13,15 23:20,25 24:2 24:4,4,6,7 25:1 25:3,16,17,19</p>	<p>25:20,23 26:14 27:18 28:4,11 28:13,15 29:19 29:21,23 30:1 30:2,4,8,11,13 31:13,21,22,23 32:17,20,25 33:1 34:4,6 35:7 36:7,9,11 36:18,25 37:16 37:23 38:2,22 39:11 40:3,18 41:11 42:5,14 43:5 44:1,14 44:17,23 45:7 46:13,17 47:5 47:14,18 48:3 48:7,13,15,15 48:24 49:22 50:2,5,9,11 52:2,18 55:15 55:20 56:3,7 56:16,20,24 57:6,9,13,14 57:19 58:4,9 59:15</p> <hr/> <p style="text-align: center;">K</p> <p>Kagan 8:7,20,22 8:23 9:6,14,15 12:20 13:5,11 23:3,8,11,13 24:2,4,6 26:14 27:18 28:4,11 28:13,15 29:21 30:8,11,13 40:3,18 41:11 42:5,14 43:5 44:1,14,17,23 55:20 56:3,7 57:6,9,13 58:9 Kagan's 30:2 keep 27:2 30:25 45:9 49:14,16 50:5,6 keeping 30:18 44:20</p>	<p>keeps 30:16 Kennedy 16:20 17:1,7 30:1 KEVIN 1:6 key 12:4 13:21 46:5 kicked 6:7 40:9 kicks 39:21 40:6 kill 17:14 26:22 33:11 44:24 45:24 46:21,22 killed 21:2 kills 46:1 kilogram 38:24 38:25 39:10 54:1,19,20,23 kind 3:24 9:10 10:21 57:9 kinds 21:11 knew 12:21,22 knocked 47:17 know 5:1 8:8,12 9:23 11:15 12:15,20,22 13:2,2,5,12,14 14:1 16:13 17:22 18:9,16 18:16,21,24 20:12,21 22:22 23:1,21 24:10 25:11 28:7 30:8 31:17 37:2 39:9 40:22,23 41:20 41:24 42:7,13 44:2,5,8,8,11 45:23 47:6 48:14 56:5 58:21 knowing 18:4 known 5:20,23 5:25 58:18 Konrad 1:15 2:3 2:9 3:5,6,8,25 4:3,11,16 5:6 5:11,18 6:9,13 7:2,10,16,23</p>	<p>8:7,19,21,23 9:13,15,20 10:2,7,13,17 10:22 11:3,5,8 11:19,25 12:10 12:15 13:5,16 13:24 14:2 15:5 16:3,16 17:1,11,15,20 18:11,15,25 19:4,19,22 20:3,8,12,18 21:6,16,20 22:4,9,19,22 23:7,11,20 25:1,15 26:18 41:20 52:23 55:16,18,20 56:7,20 57:4,8 57:12,18,21 58:12</p> <hr/> <p style="text-align: center;">L</p> <p>label 31:19 35:19,25 36:12 51:14 lack 29:14,14 55:6 laid 10:14 Landrigan 42:21 52:12 56:13 58:17 larger 21:9 law 3:16,20 4:1 4:2,3,13,15,17 8:6 learn 19:6,6 legal 5:8,10 26:4 legislature 20:20 legislatures 14:16 let's 5:13 14:4 23:25 29:4 33:1 39:14 lethal 16:12 20:4 20:16 22:18 23:9,13,16,17</p>	<p>26:24 28:8,9 28:16,20 30:14 42:24 level 8:14 18:18 24:20 25:8 33:21 39:16,21 40:17 41:5,6 41:14 56:23 levels 35:20 life 20:25 21:2 limbs 34:2 line 50:2 linearly 38:14 listening 52:20 literature 9:1 32:19 little 14:9,25 17:25 26:17 live 44:7 lived 44:8 local 49:23 located 41:1 Lockett 11:10 11:18 12:1 logical 37:7 long 10:16 12:9 longer 34:19,23 look 5:19 6:20 7:3 16:5 18:2 29:4 32:22,24 33:1 40:4 41:10 45:12,14 45:25 46:3 49:3 50:12 51:22 looked 46:2 looking 19:25 22:13 37:20 lose 32:15 lot 33:7,11 41:19 50:3 52:3 lots 33:12 45:24 lower 42:25 Lubarsky 31:4 39:25 54:15 55:3</p>
---	---	---	--	---

M			O	P
maintain 12:16 13:3 34:22	medicine 58:22	mixed 8:5,6	O 2:1 3:1	P 3:1
maintains 56:23	meet 52:11	morning 3:4	objectively 15:9 26:6 42:3 43:23 55:13	page 2:2 36:6
maintenance 34:14,20 35:1 35:3 36:15 44:15,20	meeting 43:20	move 19:8 58:3	obtain 14:23 15:19	pages 35:18
major 24:20 32:11	Melvin 38:3,3 38:12,17	moved 18:22 19:5	obviates 13:19	pain 3:12,14,22 5:23 6:11,18 10:15,21 13:9 14:10,25 15:2 16:8 18:14,19 21:12 22:1,1 24:13 26:3,7 26:25 27:5,16 27:16 28:2,9 28:10,19,24 29:21 30:15 31:1,6,9 34:8 39:22 41:8 42:3,7,8 43:23 44:3 45:22 46:20 48:25 49:2,6 55:11 55:24 56:25 59:12
making 6:21 22:3	mention 53:8	movement 15:18	obvious 33:10	
manifests 55:23 56:11	mentioned 40:2	moving 49:16	obviously 22:12 37:7	
manufacture 15:19	method 15:6,10 16:23 17:2,3 19:3 20:7,21 21:11,23	murder 21:1	occur 9:19	
Markeith 51:23	methods 18:2,23 19:2,6,25 20:6	N	occurs 14:20 39:16 40:17	
marking 21:21	mg 46:21	N 2:1,1 3:1	offends 18:2	
Material 39:25 53:3	midazolam 3:17 5:6,7,21 6:16 11:3,11 12:2 24:17 26:1 27:1,20,25 28:10,17,21 29:7 31:8,15 32:7 34:19,21 34:23,25 35:6 38:14,25 39:1 40:1 44:10 45:5 49:14,20 50:1 53:17,19 55:22 56:10 58:24	narrow 21:17 24:8,8	offense 19:14	
materials 52:9	mild 35:20	nature 11:16	Oh 46:13	
mathematical 59:8	milligram 38:23 38:24 48:18	near 26:2	okay 5:13 11:6 12:8 20:11 25:15,16 32:23 35:16 43:18	
matter 1:11 3:16 3:17,19 6:2 9:23 10:7 14:14,15 25:25 37:20 39:13 48:19 58:20 59:18	milligrams 6:16 10:1,12 22:17 25:6 26:21 39:10 45:19 53:25 54:19,20 54:23	necessarily 5:14 23:9	Okla 1:18	
matters 9:23,24 10:9 39:20	mind 11:17	necessary 12:17 56:22	Oklahoma 1:17 3:10 11:10 13:22 14:10 18:7 19:11,24 21:3	pain-relieving 48:8,11
mean 3:20 12:12 14:4 18:21 19:12 23:9 42:8 43:7 49:23	minor 32:9	need 23:20	old 37:1	painful 12:18 13:9 23:10,14 45:2
means 32:6 33:7 37:5 48:20	minutes 10:15 10:15 26:22 28:17,22 52:21 55:16,17	needle 27:2,19 27:22 30:17	once 45:9 53:25	painless 17:16 20:21
medical 4:7 7:25 9:1 29:12,12 31:4,11 32:19 32:23 42:12 45:12	mirrored 26:10	needs 16:5 58:15 59:9	operates 8:13,14 8:16	painlessly 14:6 14:11
medications 29:22	misspoke 7:2	neither 39:15	operating 34:1	paradoxical 29:8 55:5
		nervous 9:4 24:17 28:14 36:2,4 37:13 37:13 40:23,24 46:15,15 47:3 47:12,23 48:1 48:10,20	opinion 8:9 21:15 22:10 40:13 52:8	paragraph 27:14
		never 12:16 29:13,17 40:2 54:16,21	oppose 14:15	paralytic 3:11 49:12,13,16,16 49:21
		new 19:24 20:1 20:3	opposed 13:13	paralyze 7:14 48:21 49:4,22
		night 32:8	opposition 16:24	paralyzed 17:19 47:20
		Ninth 42:21	oral 1:11 2:2,5 3:6 25:21 51:1	paralyzes 6:18
		nitrogen 20:8	order 7:4,6 27:12	paralyzing 41:6 47:15 49:5,20
		novo 5:2	organizational 35:4	
		nowadays 35:2	overrule 14:18	
		noxious 49:1,10		
		number 47:22 47:23		
		nutshell 50:17		

part 8:9	pharmacologi...	28:5,17,18,23	58:18	putting 15:18
partially 8:5	8:2	30:15,18 43:8	produced 25:4	16:2 33:11,14
parties 26:4	pharmacology	44:24 57:6	producing 51:8	45:25 49:8
passed 19:24	23:22 31:14	58:9	51:12	<hr/>
passes 28:23	phenomenon	potent 38:8 39:2	professors 31:14	Q
patient 26:22	6:17 31:2	potential 22:24	50:16	qualities 48:8,11
27:2 30:16,18	Phoenix 1:15	27:5 37:19	progressively	quantify 4:20,22
34:8 35:22	physician 12:3	potentially	18:22	42:9 58:14
49:14	pieces 39:24	17:24 23:17	proof 10:10	quantity 23:6
patients 49:19	place 16:8 43:6	59:10	48:15	question 3:21
54:21	plainly 7:20	precedes 47:8	proper 11:11	4:1,1,2,3,9,10
PATRICK 1:17	plane 33:25	precisely 6:7,8	12:7,8 13:19	4:12,14,15,17
2:6 25:21	50:19,20	precludes 50:25	26:4	5:8,13 8:5,6
penalty 14:13,15	play 32:13 36:4	preferable 19:2	properly 12:13	16:21,22 17:8
14:17,19,22	pleasant 27:19	20:16,19	properties 13:6	21:9 24:8,8
16:25 19:15	please 3:9 24:6	present 11:17	43:15 58:21	25:11,14 28:21
21:14,23	25:24	24:9	propofol 34:24	28:25 29:17,17
pentobarbital	plenty 52:14	presented 39:24	34:24 35:4	30:3 31:12,23
16:17 29:16	plethora 52:9	40:5 42:16	54:9	31:24 33:22
people 17:14,24	point 4:17 6:1	52:3,13 59:6	propose 7:8	41:5,16 46:4
18:1,3,5 32:8	7:9 23:16,16	pressure 15:18	19:11	46:12,24 56:3
37:1,4,5,7 43:8	27:25 28:16	presuming	proposition	57:5
45:24	29:4,24,25	38:19	36:19 54:4	questionable
percent 15:22	30:6 33:4,13	prevent 12:17	protect 59:11	16:13,15
15:23,25	37:11 39:19,23	45:22	protocol 16:12	questions 28:7
perfectly 15:15	40:6 41:3	preventing 13:9	17:17,25 18:5	41:24
period 34:22	42:19 46:4,11	prevents 51:19	20:4,13 36:5	quick 52:10
person 17:18	50:15 52:6	previously 45:8	prove 52:6	quite 32:14
21:12 23:19	53:1,20 56:8	principle 51:19	provide 20:2	40:12 43:7
24:11,18,25	57:19	prison 21:2	47:7	quote 6:16 25:6
29:13 41:7	pointed 29:10	prisoner 3:14	provides 20:3	32:6 35:24,25
45:22 55:25	29:15 32:20,21	10:25 11:12	punishment	38:11 47:7,8
56:4,17,18,23	40:1 45:4 53:4	12:18 13:20	14:24 43:12	quoted 36:19
56:25 57:1	53:7,23 54:24	16:7	56:19	38:10
persuade 14:16	55:6	probability	purpose 4:23	quoting 34:21
pertinence	pointing 38:18	55:12	13:8,12,13,15	<hr/>
18:10	policy 14:15	probably 18:5	15:6	R
petitioner 43:1	popularity 35:5	46:21	purposely 21:10	R 1:17 2:6 3:1
43:20	position 19:16	problem 35:8	put 10:25 11:12	25:21
Petitioners 1:4	38:12 42:17	56:21,22	16:7,22 19:16	range 12:23
1:16 2:4,10 3:7	possibility 15:2	problems 11:25	24:24 27:19,22	rapid 45:5 49:18
6:4 21:6 26:2,5	30:15	procedure 13:10	29:5 33:3	49:25
27:13 29:5	possible 6:11	24:19 27:15	37:16 42:16	rare 29:11
44:10 49:17	posture 19:13	34:7 37:9 50:7	44:25 46:2,17	rate 36:14,15,16
55:19,22	postured 42:22	procedures 45:2	46:23 52:14	37:21
pharmacologi...	potassium 3:12	produce 36:21	53:2,10	rats 53:6 59:3
13:6 51:19	12:19 27:5	51:3,5,16	puts 43:22 50:18	reach 7:6

<p>reached 7:24 reacting 32:9 reactions 29:8 read 7:13 8:8 27:20 31:25 34:19 35:14 40:13 41:11,12 49:23 50:23 53:7 54:20 55:2 reading 35:8 real 5:13 35:7 really 8:10 27:22 36:3 41:21 47:6 50:16 reason 15:23 18:1,21 19:5 23:6 46:20 47:19 58:19 reasoning 5:16 33:4,9,9 reasons 21:10 29:6 35:5 rebuttal 2:8 52:14 55:18 receive 12:7,8 received 12:1 receptors 41:1,4 recognized 5:24 6:3,3,4 19:13 19:14 record 10:3 29:4 31:25 33:17 47:6 48:12,18 52:13 54:11 recounted 40:14 recovery 34:22 35:5 red 45:16 reduced 14:25 reemphasize 13:18 Rees 51:25 referring 8:9 31:3 refutation 46:6</p>	<p>refuting 45:14 45:15 regained 12:4 regardless 26:3 regrettably 17:14 regularly 45:5 relationship 39:5 relevance 16:25 41:15 relevant 16:1,4 17:9,11 27:13 29:17 31:12 33:22 39:17,23 46:12 55:7 reliably 24:24 51:16 58:23 relief 29:21 49:6 reliever 5:23 remember 10:15 31:25 32:1,2 51:9 remove 34:2 render 26:2 29:18 31:8 32:9 44:10 48:18,22 rendered 15:17 15:24 24:11 30:16 56:17 rendering 24:18 56:21 renders 18:3 23:18 repeating 42:6 replaced 35:3 reply 29:10 51:15 53:5,9 54:25 report 34:18 require 35:22 requirements 19:18 requires 33:23 research 17:25 reserve 25:14</p>	<p>resist 24:20 25:8 respect 40:16 50:23 Respectfully 36:9 38:22 49:11 respects 31:17 respiratory 47:16 Respondent 1:18 Respondents 2:7 25:22 response 15:4 30:2 53:9,24 54:25 responses 52:10 rest 24:19 rests 46:6 result 24:17 results 53:24 retreated 51:15 reverse 22:6 27:12 review 5:2 RICHARD 1:3 right 6:8,12 7:9 8:18 13:4 19:11 21:1 22:3,21 25:3,5 30:22 32:15 33:2 38:2 40:6 40:25 41:20,21 47:19 48:13 50:19 51:13 rise 15:1 18:18 rises 42:3 risk 4:19,21 5:9 17:18 24:13 26:7 42:2 43:22,23 55:13 55:22 56:9,11 58:13,13,14 ROBERTS 3:3 6:5,10,22 11:14,20 18:20 19:1,9,20 20:1</p>	<p>20:7,10,14 24:4 25:17,20 30:4 52:18 55:15 59:15 ROBIN 1:15 2:3 2:9 3:6 55:18 room 34:1 routinely 34:12 45:5 49:19 rule 52:16 run 25:10 running 30:19</p> <hr/> <p style="text-align: center;">S</p> <hr/> <p>S 2:1 3:1 S-A-A-R-I 34:16 Saari 34:15 50:12 51:4 54:3 safe 15:15 Safety 39:25 53:3 satisfies 19:18 satisfy 26:13 satisfying 43:21 saturated 41:4 saying 4:12 9:6 10:4 12:7 31:7 36:1 37:4,11 38:10,12 41:13 43:8 44:1 45:8 57:21 says 24:15 33:2 33:2,3,5 34:20 36:12 38:4,19 40:16 50:23,24 51:3,4 53:4 54:6 Scalia 3:19,25 4:2,9,12,17 12:6,11,16 15:12 16:3,19 20:24 25:19 29:23 36:7 Scalia's 16:21 science 19:7 23:22 58:22</p>	<p>scientific 7:25 8:25 22:14 second 3:13 4:17 26:8,21 28:3 30:6,6,7 38:3 49:13 52:13 53:6 59:12 Secondly 29:14 seconds 44:11 48:19 section 35:25 37:17,18 sedated 13:20 sedation 35:20 35:20 sedative 28:3 see 3:20 12:11 12:13 13:15,16 29:9 35:15 45:12,14,25 53:18 58:9 seeking 21:3 sense 28:20 31:4 31:11 sentence 21:1,2 21:25 32:5 sentences 32:4 sequence 45:6 49:19,25 serious 42:2 set 21:9 26:12 42:6 setting 27:14 45:2 severe 43:23 50:25 Sheet 39:25 53:3 shifting 42:11 show 7:4 9:18 10:5 21:13 41:12 42:11 43:1 46:5 showed 38:5 52:7 54:18 showing 6:24 43:22,24 52:12 shown 18:1</p>
--	---	---	--	--

<p>38:18 shows 22:15 50:18 59:4 side 25:4,11,13 33:2,2 45:14 45:15 sigmoidal 59:4 silence 34:3 similarly 42:22 simply 41:23 sir 36:11 sleep 18:4 32:8 slow 53:19,22 small 35:13 49:20 society 19:7 sodium 4:19 13:19,23 29:15 56:14 sole 4:8 13:8 35:1 54:8 Solicitor 1:17 somebody 12:24 13:9 44:24 56:21 57:16 58:7,10 someone's 55:7 sorry 7:2 10:18 11:20 12:19 25:9 28:4 sort 52:8 Sotomayor 4:25 5:7,11,12 7:7 7:11,17,18 10:10,13,14,18 16:10 17:5,13 17:15,16,21,22 18:12 31:22 35:7 36:10,11 36:18,25 37:16 37:23 38:2,23 39:11 47:14,18 48:3,7,13,24 49:22 50:2,5,9 50:11 52:2 sound 27:18 sounds 27:21</p>	<p>source 34:15 50:21,21 53:3 sources 35:11 53:15 55:2 speculative 43:3 spelled 34:16 spinal 6:15 8:14 9:8 40:17,20 41:1,5 47:21 47:22 spite 4:6 squad 18:8,14 18:17,24 stabbed 21:2 staff 29:12 stake 43:10,14 44:4 57:16,22 stand 54:12 standard 32:1 42:6 standards 19:8 26:4 started 51:10,10 starts 47:19 state 4:24 7:8 11:12 15:7 16:6,11,12 17:2 18:16 22:16,24 31:14 34:9 35:21 41:24 42:11 44:20 46:19,21 46:23,25 47:8 48:21 50:6 58:2 State's 6:3 8:25 9:2 59:5 statement 30:20 32:21,23 47:7 statements 35:10 States 1:1,12 8:2 14:10,23,25 15:10,16,19 16:15,18 18:22 19:5 statute 19:24</p>	<p>20:2,3 stimulant 49:1 stimuli 24:20 25:9 32:10,11 49:10 stop 32:15,17 studies 22:14 38:13 41:22,23 59:2 study 23:18,21 38:3,4,12,19 53:6,7,8,16,16 53:18,23 54:5 54:15,18,18,20 54:21,22 59:3 submitted 53:14 54:17 59:16,18 subsidiary 26:15 27:7 substantial 17:18 26:6 42:3,7,8 43:22 55:12 substantially 35:9 substitution 46:25 successful 14:18 suffer 34:8 43:2 suffering 3:13 18:19 49:18 55:24 59:12 sufficient 24:19 25:8,12 38:21 41:9 50:21 sufficiently 13:20 suffocation 3:15 24:13 suggested 19:21 suggesting 13:1 19:2,4 20:11 42:10 suggestion 19:10 suggests 38:7,20 suicide 14:8 support 10:3</p>	<p>32:24 33:13,17 33:19 35:22 47:7 52:14 59:1,6 supported 8:25 32:18 35:11 45:11,13 suppose 20:23 43:13 supposed 42:19 suppress 36:2 Supreme 1:1,12 sure 8:7 15:22 15:23,25 36:25 41:17 43:1 50:2 52:12 56:25 57:14 surgeries 34:15 50:9 surgery 44:21 surgical 27:15 33:25 34:7 38:15 50:19,20 54:1,2 system 9:5 10:21 28:14 36:2,4 37:14 40:24,25 46:15 47:3,12 47:23 48:2,10 48:20</p> <hr/> <p style="text-align: center;">T</p> <hr/> <p>T 2:1,1 tails 53:18 take 27:11 28:17 33:4,7 53:24 55:16 taken 36:1 takes 6:15 8:15 44:24 talk 39:14 talked 22:24 26:17 31:2 43:10 talking 11:6,8 24:17 28:6 32:12 42:14,15</p>	<p>52:20 tell 6:7 41:21 42:18,18 43:17 48:25 51:13 telling 49:18 tells 58:22 temporary 42:22,24 terms 5:16 tested 13:7 37:25 testified 9:3 10:22 22:23 59:7 testify 24:22 testifying 51:25 testimony 8:24 9:2,20 45:11 59:5,6 text 51:3 textbook 50:22 Thank 55:14,15 59:14,15 theory 6:11 40:8 therapeutic 23:4 23:6 37:3 thigh 27:3,19,23 thing 3:24 33:10 37:15,20 40:10 50:15 things 21:13 33:11,12,20 36:13 39:3 47:11 50:3 52:3 think 5:2,3 13:17 15:25 16:3 20:15 24:5 27:12 33:16 36:14 40:5,15 41:12 41:18,25 43:5 43:17,19 45:3 46:5,18 49:10 56:20 57:15 58:2,5 thinks 27:8 46:1</p>
--	---	---	--	---

thiopental 4:19 13:19,23 29:15 56:15	39:24 44:18 45:9 47:23 52:10 53:15	understand 8:8 18:22 20:24 22:2 26:15,19 58:15 59:9	wake 32:14 want 15:21 18:5 22:6 24:9 25:11,14 27:24 30:23 33:15 34:8 47:6 48:12 50:15 52:25	9:4 23:23 40:24 46:15 47:3,3,12,15 47:22,24
third 3:13 15:22 26:8 27:1,12 28:3 29:23,24 59:13	two-court 52:16	understands 27:4	wanted 55:20 wants 34:8 war 14:22 18:6 warning 51:13 Washington 1:8 wasn't 10:19 13:11 52:4 way 4:25 7:13 9:3 13:6 15:7 15:11 22:16 57:25 58:1	world 18:6 41:25 44:6,7 44:17 worry 8:17 9:7 9:12 40:19,20 41:13 wouldn't 52:5 wreaking 28:19 28:23 writhing 10:14 wrong 7:20 23:23 25:13 40:22,22 54:14
thought 5:1 6:23 11:14,22 12:21 12:22 13:11 41:8 46:20 47:20,21	<hr/> U <hr/> unable 26:3 31:9 unacceptable 21:12 22:1 24:13 unavailable 14:3 15:17 20:5 unaware 31:1 39:22 41:8 55:11 unbearable 26:24 28:23 uncertainty 12:24 41:19 uncommon 35:2 unconscious 11:13 12:2,3,9 24:11,18 26:2 27:2 29:2,13 29:18 30:17,18 31:1,9 32:6,9 34:9 39:22 41:7 44:11 48:19,22,24 55:7,11 56:18 56:21 unconsciousn... 12:17 13:3 24:20 25:8 31:5,15,18 33:22 34:11 56:23 58:19,23 unconstitutio... 18:18 20:5 21:23 49:17 55:24 56:2,6 57:23 59:12 underlay 26:16 underlies 25:12 underpinning 30:7	undisputed 5:20 6:20,23 7:1,5 48:12 unethical 41:23 United 1:1,12 unknown 43:15 43:16 unqualifiedly 37:25 unquantifiable 56:10 unusual 43:11 52:19 56:19 57:24 usage 45:1 use 16:6 18:1,3,5 18:8 31:20 32:8 34:1,6,25 35:24 37:5 42:1 43:14 50:13 54:9 58:11,18 usually 3:24	Washington 1:8 wasn't 10:19 13:11 52:4 way 4:25 7:13 9:3 13:6 15:7 15:11 22:16 57:25 58:1 ways 17:13,23 19:25 we'll 3:3 41:17 52:22,23 we're 11:8 15:9 24:8 30:25 32:12 39:11,12 43:13,14 44:21 we've 7:19 43:9 55:6 Wednesday 1:9 went 10:20 54:20 weren't 11:16 29:16 whatsoever 57:1 Wood 10:11,12 10:17 Wood's 10:23 word 46:18 47:1 words 12:23 41:6,20 46:25 work 7:13 22:15 22:16 worked 7:14 47:20,21 working 48:21 works 5:5,8 9:4	wouldn't 52:5 wreaking 28:19 28:23 writhing 10:14 wrong 7:20 23:23 25:13 40:22,22 54:14 Wyrick 1:17 2:6 25:17,18,20,21 25:23 26:14 27:11,24 28:6 28:12,14,25 30:2,5,9,12,22 31:16 32:16,18 32:25 33:20 34:5,12 36:9 36:12,22 37:15 37:18,24 38:22 39:14 40:3,12 40:23 42:2,10 42:20 43:19 44:6,16,19,25 46:10,14 47:2 47:10,15 48:1 48:5,9,17 49:11,25 50:4 50:8,10,12 52:10,18,25
three 26:10,11 26:15 27:7 29:6 34:23 35:13,17 36:13		<hr/> V <hr/> v 1:5 3:4 42:20 51:25 52:12 56:13 vacated 42:24 varying 54:23 veins 11:16,23 view 15:25 violates 58:14 violation 41:25 57:2,24 58:6,7 virtual 25:7 55:10,10 virtually 35:21 vital 35:22	wasn't 10:19 13:11 52:4 way 4:25 7:13 9:3 13:6 15:7 15:11 22:16 57:25 58:1 ways 17:13,23 19:25 we'll 3:3 41:17 52:22,23 we're 11:8 15:9 24:8 30:25 32:12 39:11,12 43:13,14 44:21 we've 7:19 43:9 55:6 Wednesday 1:9 went 10:20 54:20 weren't 11:16 29:16 whatsoever 57:1 Wood 10:11,12 10:17 Wood's 10:23 word 46:18 47:1 words 12:23 41:6,20 46:25 work 7:13 22:15 22:16 worked 7:14 47:20,21 working 48:21 works 5:5,8 9:4	wouldn't 52:5 wreaking 28:19 28:23 writhing 10:14 wrong 7:20 23:23 25:13 40:22,22 54:14 Wyrick 1:17 2:6 25:17,18,20,21 25:23 26:14 27:11,24 28:6 28:12,14,25 30:2,5,9,12,22 31:16 32:16,18 32:25 33:20 34:5,12 36:9 36:12,22 37:15 37:18,24 38:22 39:14 40:3,12 40:23 42:2,10 42:20 43:19 44:6,16,19,25 46:10,14 47:2 47:10,15 48:1 48:5,9,17 49:11,25 50:4 50:8,10,12 52:10,18,25
three-drug 3:11 4:5		<hr/> W <hr/>		<hr/> X <hr/> x 1:2,7 Xanax 32:8
threshold 26:5 26:13 43:24				<hr/> Y <hr/> year 11:10 16:18 years 22:10
throat 49:4,5,23				
time 14:3 22:8 25:10,14 44:23 52:22				
times 17:9 34:23				
told 53:2				
tolerable 3:18 4:5				
tolerate 15:9				
toxic 22:24 33:6 59:11				
trained 29:12,12				
trial 26:11				
tried 46:7				
trouble 43:20				
true 7:12 20:23 21:17 31:4				
try 14:16 58:2				
turn 24:5				
turns 39:3 45:13				
two 4:6,13,14 5:24 15:16,20 16:13 21:22 24:12,21 25:9 27:9 32:4,12 32:21,22 33:10 33:12,13 39:3				

Z	26:21 45:19			
zero 45:23 46:3 46:6	46:21 48:18 500-milligram 26:1			
0	55 2:10			
02 38:5,6 06 38:5,6	6			
1	6 36:6 38:24 60 44:11 48:19			
1 54:22 1,000 10:1 10:15 1:13 3:2 100 15:22,23,25 37:6 11 16:17 11:19 59:17 139 27:14 14-7955 1:4 3:4 15 11:5 16 50:16	7			
2	750 10:12,19,20 76 5:24 77 40:15 78 6:3 40:15			
2 10:17,18 38:23 39:10 59:3 2-43 34:17 20 10:15 2015 1:9 217 36:22 25 2:7 10:15 29 1:9 2milligrams 38:15	8			
3	8 55:16,17 80 36:24 37:2,4 37:9			
3 2:4 37:19 54:18,20 30 26:22 28:17 28:22 53:25 327 45:15	9			
4	90 44:11 48:19			
4 35:18 47 8:5				
5				
5 35:18 53:16 500 6:15 10:6 22:17 25:6				